

COURT OF APPEAL - STATE OF CALIFORNIA

FOURTH APPELLATE DISTRICT

DIVISION II

THE PEOPLE OF THE STATE OF CALIFORNIA,)	DCA No. E-050646
Plaintiff/Respondent,)	Case No. SWF-015286
s.)	Volume 14 of 16
RYAN CHRISTOPHER MICKEY,)	pp. 2385 - 2499-84
Defendant/Appellant.)	

REPORTERS' TRANSCRIPT ON APPEAL

BEFORE THE HONORABLE F. PAUL DICKERSON III, JUDGE PRESIDING

DEPARTMENT S-304

January 11 and 12, 2010

APPEARANCES:

For Plaintiff
and Respondent:

OFFICE OF THE ATTORNEY GENERAL
110 West "A" Street, Suite 1100
San Diego, California 92101

For Defendant
and Appellant:

APPELLATE DEFENDERS, INC.
555 West Beech Street, Suite 300
San Diego, California 92101

Reproduced by:

SANDRA C. WALKER, CSR 6977
OLIVIA D. SCHAAF, CSR 11111

COPY

SUPERIOR COURT OF CALIFORNIA
COUNTY OF RIVERSIDE

THE PEOPLE OF THE STATE OF CALIFORNIA,)	
)	
Plaintiff,)	Case No. SWF-015286
)	
vs.)	Volume 14 of 16
)	
RYAN CHRISTOPHER MICKEY,)	pp. 2385 - 2499-84
)	
Defendant.)	

REPORTERS' TRANSCRIPT OF PROCEEDINGS

BEFORE THE HONORABLE F. PAUL DICKERSON III JUDGE PRESIDING

DEPARTMENT S-304

January 11 and 12, 2010

APPEARANCES:

For the People:

OFFICE OF THE DISTRICT ATTORNEY
BY: JESS WALSH, Deputy
30755-D Auld Road, Third Floor
Murrieta, California 92563

For the Defendant:

LAW OFFICES OF JAMES MATTHEW BROWN
BY: JAMES MATTHEW BROWN
AND: MARK SIMOWITZ, Attorneys at Law
2044 First Avenue, Suite 200
San Diego, California 92101

Reported by:

SANDRA C. WALKER, CSR NO. 6977
OLIVIA D. SCHAAF, CSR NO. 11111
Official Reporters
Riverside Superior Court

VOLUME 14 - APPEARANCE INDEX

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28

VOLUME NUMBER

DATE

PAGES

14
14

01-11-10
01-12-10

2385 - 2449-84
2450 - 2499-1

CHRONOLOGICAL WITNESS INDEX

PAGE

VOLUME 14

FOR THE DEFENSE:

LEESTMA, Jan Edward

Direct Examination (Mr. Brown)2386
Cross-Examination (Mr. Walsh)2449-4
Redirect Examination (Mr. Brown)2449-63
Recross Examination (Mr. Walsh)2449-79

CARR, Kenneth W.,

Direct Examination (Reopened) (Mr. Brown) ...2578
Cross-Examination (By Mr. Walsh)2583
Redirect Examination (Mr. Brown)2614

1 -- MONDAY, JANUARY 11, 2010 - MURRIETA, CALIFORNIA --
2 -- BEFORE THE HONORABLE F. PAUL DICKERSON III - DEPT. S-304 --

3 (WITHIN JURY PRESENCE:)

4 THE COURT: Okay. Let's go on the record in
5 SWF-015286. The parties are present before the court. We're in
6 the presence of the jury.

7 Good morning, everyone.

8 THE JURORS (Collectively): "Good morning."

9 THE COURT: Mr. Brown?

10 MR. BROWN: Good morning, Your Honor.

11 THE COURT: Good morning, sir.

12 MR. BROWN: Mr. Mickey would call Dr. Leestma to the
13 stand, please.

14 THE COURT: Yes, sir.

15 THE CLERK: Please remain standing and raise your right
16 hand.

17 You do solemnly state that the evidence you shall give
18 in this matter shall be the truth, the whole truth, and nothing
19 but the truth, so help you God?

20 THE WITNESS: I do.

21 THE CLERK: Thank you. Please have a seat.

22 Will you please state your full name and spell it for
23 the record.

24 THE WITNESS: Okay. Jan, J-a-n, Edward, Leestma,
25 L-e-e-s-t-m-a.

26 THE COURT: Mr. Brown, you may proceed, sir.

27 MR. BROWN: Thank you, Your Honor.

28 THE WITNESS: Maybe I can persuade somebody to put a

1 little water --

2 THE COURT: Absolutely.

3 There you go, Doctor.

4 THE WITNESS: Thank you.

5 THE COURT: You're welcome.

6 Mr. Brown?

7 MR. BROWN: Thank you, sir.

8 JAN EDWARD LEESTMA,

9 called as a witness by and on behalf of the Defense, having
10 been first duly sworn, was examined and testified as follows:

11 DIRECT EXAMINATION

12 BY MR. BROWN:

13 Q. Are you okay, Doc?

14 A. Yes.

15 Q. All right. Can you help us understand what was wrong
16 with Kerianne Bradley in the days preceding her death?

17 A. I hope so, yes.

18 Q. Can you help us understand the cause of her death?

19 A. Yes.

20 Q. Can you help us understand the manner of her death?

21 A. Yes.

22 Q. Can you help us to understand aging and dating of a
23 process itself?

24 A. Yes.

25 Q. Now, before we get to those issues, what I'd like to do
26 is have the jury have a little understanding about who you are.

27 Are you a medical physician?

28 A. Yes, I am.

1 Q. All right. And what kind of area or specialty do you
2 have, sir?

3 A. I'm board-certified in anatomic or general pathology
4 and neuropathology. And I spend most of my time doing
5 neuropathology or the pathology of brain disease.

6 Q. Would you explain to the jury what a neuropathologist
7 is, please?

8 A. Sure. This is a recognized medical specialty that
9 deals with the mechanisms and processes of diseases involving
10 the nervous system. And, by that, I mean brain, nerves, spinal
11 cord, eyes to some degree.

12 And by disease I mean anything that can go wrong with
13 these systems, which would include a tumor, infection,
14 inflammation, physical injury or trauma, that's a disease. And
15 how all these processes work at the tissue level and the organ
16 level, what they look like under the microscope and other
17 techniques we use to get a look at these processes and basically
18 how -- in a sense, it's like being a theoretical -- or a car
19 mechanic that doesn't necessarily fix the car but can tell you
20 what that sound meant and how the process unraveled and why your
21 car doesn't work.

22 Q. Okay. And, in order to come to that kind of knowledge,
23 I suspect you went to college?

24 A. Yes.

25 Q. Where did you go and when did you go?

26 A. I attended for four years Hope College in Holland,
27 Michigan, graduating in 1960, with a bachelor of arts degree,
28 and then -- that was the undergraduate, and then I went to

1 medical school, which was the University of Michigan in
2 Ann Arbor, for four years, and graduated in 1964 with an M.D.
3 degree.

4 And at that point I elected to go into the field of
5 pathology. And to accomplish that I went to the University of
6 Colorado Medical Center in Denver where the first two years of
7 my training there involved learning how to do an autopsy,
8 learning how to look at surgical material that came from the
9 operating room, beginning to learn how to drive an electron
10 microscope and some other research techniques that I was
11 interested in and doing all of these -- these kinds of things
12 for two years.

13 And then, at the end of that period, I elected to
14 continue on, but specializing on brain disease, or
15 neuropathology, and it involved basically the same things, only
16 involving the brain and its coverings and nerves and so forth.
17 And that took me another year in Colorado.

18 At the end of that third year I elected to finish up my
19 training at the Albert Einstein College of Medicine in the
20 Bronx, New York, where I went and finished up my training and
21 experience for neuropathology.

22 So at that point I had satisfied the requirements
23 for -- or most of them for completing my training, and that
24 would have gotten me up to 1968. And at that point I entered
25 the military service, as a captain in the Air Force Medical
26 Corp., and was -- I was going to say sentenced, but I wasn't. I
27 was assigned to the Armed Forces study of pathology in
28 Washington D.C., where I was assigned not to neuropathology, but

1 to genitourinary pathology, and -- kidneys and so forth, so on.

2 So I did that for a year and was able to transfer to
3 the neuropathology section of that institute in exchange for an
4 additional year in the service, which I gladly gave. And I
5 finished up my military career in 1971 with the rank of major,
6 U.S. Air Force Medical Corp., and was honorably discharged.

7 Q. Sir, have you had -- do you have experience doing
8 autopsies?

9 A. Yes. Short. Certainly in -- all through my pathology
10 residency time, even when I was doing neuropathology, we would
11 rotate on the autopsy service. And I don't know how many
12 autopsies a month I had done, probably several hundred during
13 the four years that I was in Colorado, or the three years that I
14 was in Colorado. And then occasionally afterwards.

15 Q. Have you had experience with Children's Hospital in
16 performing autopsies?

17 A. Yes.

18 Q. Can you explain that to the jury, please?

19 A. In Colorado the pediatric service at the Colorado
20 General Hospital was still very active. After I left most of
21 these cases went to the Children's Hospital, which expanded. So
22 we -- if a child died for whatever reason, it would be an
23 autopsy done. And if that was a valid permit, I might be
24 assigned to do it. And so I did a number of children's
25 autopsies there.

26 And when I came to take up my professional career in
27 Chicago, at Northwestern University, there were children's
28 autopsies there that I did. And we were supervised anyway, and

1 that's happened throughout my career, so it isn't the bulk of
2 the autopsy material that I've done, but I've -- probably have
3 done 100 or plus children's autopsies.

4 Q. Do you -- I just forgot what I was going to ask you.
5 Must be Monday morning.

6 Have you taught?

7 A. Yes.

8 Q. How to perform autopsies?

9 A. Sure.

10 Q. Okay.

11 A. Yeah, this is part of the job, as I've -- as I left the
12 service, I went to Northwestern University Medical Center as an
13 assistant professor of both pathology and neurology and the
14 chief of neuropathology there, and part of my job was to teach
15 the young residents or participate in that, on how to do an
16 autopsy, and what to look for, and when they were done reading
17 the microscopic slides and generating a report, and I would sign
18 off these autopsies, and that means they come to me and I say,
19 "Tell me about the case," and we got the history. "What did you
20 find, let's look at a microscope together and see what's there."

21 And I -- it's basically a check -- a check-off to be
22 sure that all the things have been covered and that they did a
23 good job. If they didn't, I say go back and do something more
24 or read some more or do something like that.

25 So that was my role and I did that as long as I was
26 associated with academia.

27 Q. Approximately how many brain autopsies have you done,
28 sir?

1 A. Probably over 20,000 in the course of the career.

2 Q. And have you received any academic appointments?

3 A. Sure.

4 At Northwestern I started as an assistant professor,
5 was fairly promptly promoted to associate professor, and I
6 remained as an associate professor at Northwestern until I left
7 12 years, 13 years later, something like that, when I went to
8 the University of Chicago as a full professor of pathology and
9 neurology and an associate Dean.

10 And so I had academic appointments up until probably
11 1987, at which point I went into a private practice situation
12 that did not have academic ranks, and I don't hold an academic
13 appointment at the present time. I'm basically retired from
14 hospital work.

15 Q. How about appointments, hospital appointments. Have
16 you had those in your career?

17 A. Sure.

18 Every institution I've been at always had an associated
19 hospital, so I would have an academic rank of assistant or
20 associate professor or whatever. And then I'll have -- be an
21 attending physician at hospital, which meant that I could sign
22 reports, be there, do an autopsy. I suppose I could admit
23 patients, but I never did. That isn't what I do.

24 So that carried with it hospital appointments at
25 Northwestern's affiliate hospitals and Veterans Hospital. And
26 then, at the University of Chicago, the same.

27 Q. How about honors and awards; have you had any of those?

28 A. Sure. I was fortunate enough back in the late 70s to

1 be awarded a teacher of the year award for the basic sciences at
2 Northwestern, pleased about that. And, in 1981 and '82, I was
3 granted a sabbatical leave with pay to go anywhere I wanted to
4 and do what I pleased. So I was fortunate enough to be invited
5 to come to the Karolinska Institute in Stockholm, Sweden, to do
6 research there for a year as a guest visiting researcher. And I
7 completed that in 1982.

8 Q. And do you lecture from time to time?

9 A. Yes. Of course during the academic career, teaching
10 medical students, dental students, nurses, residents, and so
11 forth. It was an everyday occurrence. And in the periods of
12 time that -- even in hospitals where I would give conferences
13 and teaching, was just the other regular everyday occurrence
14 relating to items of neuropathology to whatever audience.

15 Q. And, sir, are you published?

16 A. Yes.

17 Q. And would you explain to the jury, or discuss with the
18 jury, the areas in which you've been published and how you've
19 been published?

20 A. Right.

21 The majority of my work has been published as journal
22 articles, that is relating to some subject in pathology or
23 neuropathology, and it could reflect the interests that I had or
24 work that I was doing at the time. And this would mean sending
25 a manuscript to a journal and having their editorial board look
26 at it and see if it was acceptable and then publishing or not,
27 as the case may be. Most of them quite successful in getting
28 most everything that I submitted published. And then I wrote

1 some books, one of which you -- I notice that you have in your
2 hand there.

3 Q. I do.

4 A. That's fine. That's recently published in 2009. It's
5 a second edition of a book called Forensic Neuropathology, which
6 basically covers the field of how the field of brain disease and
7 its pathology interfaces the legal system.

8 Q. And, sir, have you been from time to time called upon
9 to act as an expert in a proceeding such as this?

10 A. Yes.

11 Q. Approximately how many times?

12 A. In terms of testimonies, I think it's between 1- and
13 200 times, probably 150, something like that.

14 Q. And have you qualified as an expert in neuropathology
15 in the United States?

16 A. Yes. In California a number of times and I think in
17 counting up the last I think about 40 states now. In Canada and
18 in the United Kingdom.

19 Q. And what were you asked to do in this case involving
20 Kerianne Bradley, sir?

21 A. What are the issues, you mean?

22 Q. Yes, sir. What were you asked to do?

23 A. Oh, I see.

24 Basically, most simplistic way is to say what happened,
25 and when did it happen. And how did this whole process work
26 that brought about the unfortunate death of this child.

27 Q. And, sir, have you reviewed any records relating to
28 Kerianne Bradley that has helped you focus on those issues and

1 provide us answers here today?

2 A. Yes. I was provided -- well, we can take from the most
3 objective or, you know, the stuff that I deal with mostly, would
4 be the autopsy report, autopsy and scene photographs, or
5 photographs of the child in light of some subsidiary photographs
6 of a vehicle, and so forth. The autopsy report, autopsy tissue
7 slides, microscopic glass slides, autopsy photographs, medical
8 records of the two hospitals this child was brought to near the
9 end of her life, a number of interview reports, members of the
10 family and others that were involved with this child. And, yes,
11 I forgot to mention the image studies, the CT scans, and other
12 films, radiologic studies that were performed on this child.

13 Q. And so there's a box behind you. Does that pretty much
14 contain all the information in it that you --

15 A. Yeah. I haven't gone through this page by page. I
16 have a duplicate in my briefcase, but I've been a shown that and
17 it looks like it's all the materials I saw.

18 Q. Now, based on your review of these documents and
19 people's statements, let me ask you, have you read a
20 statement -- well, let me ask you this: Based on your review of
21 these documents that you've articulated for us, and the
22 statements, do you have an understanding, sir, of the physical
23 or mental well-being of Kerianne Bradley in the last 24 to
24 48 hours prior to her --

25 A. Yes, I believe I do.

26 Q. Can you explain those to us, please, what your
27 understanding is --

28 A. Well, let's take the day going backwards from

1 February 4th, which -- of 2006, was the date of admission. And
2 then the child was basically dead less than a day later.

3 From that time forward, or backward, a couple of days
4 before this, which would be February 2nd, my recollection, the
5 child had been vomiting, sick, irritable, and sleeping a lot.
6 And to that end was brought to see a pediatrician. I don't
7 think the pediatrician examined the baby but a nurse
8 practitioner did and concluded that the child had a stomach flu
9 or some sort of virus, possibly, and basically that was -- that
10 was that.

11 But the child had been sick of that same -- in that
12 same way a couple of days before. So there had been several
13 days prior to the admission on February 4th in which the child
14 just was not her normal self, not eating, irritable, sleeping a
15 lot. And vomiting episodically. So this was a child that was
16 sick in a way and apparently due to a gastrointestinal bug of
17 some sort.

18 Q. Based on your review of the statements, did you gather
19 an understanding of how the child was on February 3rd of 2006?

20 A. Yeah, the child apparently at some point was brought to
21 one of the -- well, not the grandparent, but the mother of
22 Mr. Mickey. And the child just was -- I guess you could say a
23 dish rag, I mean, just was not happy, was squirming, and but not
24 crying a great deal apparently, just sort of uncomfortable, and
25 wanted to sleep and in fact wanted to sleep so much that when
26 the baby was brought outside and placed on the ground, or even
27 on the concrete surface, the child crashed out and went to sleep
28 there.

1 And clearly, for a 16-month-old, 17-month-old child,
2 that would be abnormal behavior. And so -- but that is how the
3 child had been off and on, not feeding, sleeping a lot, and
4 doing those sorts of things.

5 Q. And how about into the night on February 3rd into the
6 early morning hours of February 4th; do you have an
7 understanding of the child's behavior in that time period?

8 A. Well, the child, again, I guess would -- awakened and I
9 forgot to mention there was a fever in there at one point
10 measured at 103, so there's no doubt the kid was sick.

11 Q. How about on the Saturday; do you have an understanding
12 of the child's behavior on Saturday morning, February 4th?

13 A. Kind of the same thing, lethargic and so forth, not
14 feeling well. Being offered food, but not eating much. And then
15 the event -- there was an event of the child being placed in the
16 car, in a baby seat, and then upon being extracted it's reported
17 that a door -- or a door slammed and hit the child on the head.
18 And at that point then that was treated or attempted to be
19 treated with an ice pack. And not long after that the child
20 began -- was discovered to be not arousable, sleeping but
21 apparently more so. And that resulted ultimately in 9-1-1 calls
22 and action that brought the child to hospital.

23 Q. Now, on the -- are you familiar with on -- based on the
24 information that you've read, that on that Saturday morning when
25 Jennifer -- do you know who Jennifer Bradley is? Could you tell
26 us who she is, please?

27 A. Say that again? I'm sorry.

28 Q. Jennifer Bradley?

1 A. Yes.

2 Q. Can you identify your knowledge of her for us, please?
3 Do you know who she is?

4 A. Yes.

5 I mean, that would be the mother of -- the grandmother
6 of the -- of the child. Well, Jennifer Bradley is the mother.

7 Q. Right. And Denise Pou, do you know who she is, based
8 on your review of the records?

9 A. Yes.

10 Q. And who is she?

11 A. And that is, if I remember correctly, is the mother of
12 Mr. Mickey.

13 Q. That would be Mrs. Mickey, is the mother of --

14 A. Certain people here I'm not real good at remembering
15 the names, but there was the mother, the grandmother of this
16 baby --

17 Q. All right.

18 A. -- and then the mother of Mr. Mickey, who has no
19 biologic relation to the child, but was also involved in
20 supervising the baby briefly.

21 Q. Now, based on your review of these statements, did you
22 obtain information that, on the way to take Jennifer Bradley to
23 work on Saturday morning, that the child was asleep in the car?

24 A. Yeah; yes. This child never really what I would say
25 perked up and acted like a normal 16- or 17-month-old child,
26 basically.

27 MR. WALSH: Excuse me. Object as nonresponsive.

28 THE COURT: Sustained. The answer is nonresponsive.

1 Jury is to disregard the entire answer.

2 Want to re-ask the question, Mr. Brown?

3 MR. BROWN: I do.

4 Q. (By Mr. Brown:) Sir, did you gather, based on your
5 review of the statements, gather information that the child was
6 asleep in the car on the way to take Jennifer to work?

7 A. That was my understanding.

8 Q. And based on your review of these statements, did you
9 gather information which led you to believe that the child was
10 nonreactive to sunlight coming into the rear door?

11 A. Anyway, this child was basically out. And it didn't
12 appear that the child reacted to things that one would expect it
13 to.

14 Q. Now, in your analysis of these issues, you talked to us
15 about vomiting, correct?

16 A. Correct.

17 Q. Fever?

18 A. Yes.

19 Q. Correct? Lethargy?

20 A. Yes.

21 Q. Not eating?

22 A. Right.

23 Q. Not reacting to sunlight?

24 A. Exactly.

25 Q. And so forth. Are those consistent with symptoms
26 relating to head injury?

27 A. They can be.

28 Q. And in your -- based on your skill, training and

1 education, have you known that lay people such as myself might
2 not have the ability to discern the distinction between a
3 gastrointestinal injury versus a head injury?

4 A. I wouldn't necessarily expect them to be able to do so
5 unless somebody had some special training or experience.

6 Q. Now, in your analysis of this case, Doctor, why is it
7 important to have an understanding of the child's physical and
8 mental well-being prior to February 4th of 2006?

9 A. Well, one of the inherent and important elements in the
10 medical exercise, the diagnostic exercise, whether it's after
11 the fact as a pathologist would be involved or before the fact
12 as a clinician would be is you need to have a background.
13 What's been happening to this person. Because that begins to
14 give you a clue as to something called a differential diagnosis.
15 You start saying well it could be this it could be that, and you
16 start ordering these things in your mind. And the kind of --
17 the importance of that is it drives you, number one, what to
18 look for in a physical examination, to be attentive to. Then it
19 also may drive what laboratory studies you're going to order to
20 try to help you get there to if you have to interdict some
21 disease process, it helps you get there. And, after the fact,
22 it does the same thing, rather than approach the case as a
23 needle in a hay stack, a total cypher, it gives you places to
24 start looking at things, a checklist to start checking off and
25 looking into.

26 Q. All right; thank you.

27 Now, I'd forgotten one thing here in regard to your
28 training. Have you ever acted in the capacity of a coroner?

1 A. I have performed medical-legal autopsies and exercises
2 on occasion that is generating a death certificate and
3 determining cause and manner of death. I don't normally do that
4 but I have on some occasions. And more commonly in several
5 venues I worked with coroners and medical examiners as their
6 consultant in neuropathology. I was an assistant medical
7 examiner in Cook County, Illinois -- that's the county that
8 encompasses Chicago -- for 11, 12 years, something like that,
9 where I would go and examine brains at the medical examiner's
10 office, sometimes help in autopsies if I was requested to do so,
11 and generate reports that the person who was deputized to do the
12 official reports would use in the determination and the cause
13 and the manner of death.

14 Q. How about CT scans; are you trained in reading those?

15 A. And then I would be teaching the trainees and the
16 residents at the coroner's office, the fellows there, and I've
17 participated in training and education exercises relating to
18 forensic issues for a long time.

19 Q. Now, how about subdural hematomas; are you familiar
20 with those, sir?

21 A. Very much no?

22 Q. Can you explain to the jury, please, what a subdural
23 hematoma is?

24 A. Sure.

25 A subdural hematoma is, in the way as the name implies,
26 though you do not know medical terms, it is basically a
27 hemorrhage underneath the skull and underneath a membrane called
28 the dura but above the brain. So if you were going to drill a

1 hole to get to a subdural hematoma, you would have to go through
2 the scalp, through the skull, through the dura, which is a
3 membrane attached to the under side of the skull, and then you
4 would have access to a potential space underneath the dura where
5 blood has accumulated, but over the brain. There's another
6 little membrane underneath that, that looks about like Saran
7 Wrap, and that's called the arachnoid membrane. So that would
8 be a collection of blood over the brain, under the dura.

9 Q. And how are subdural hematomas caused or formed; can
10 you give us kind of an idea how that happens, sir?

11 A. Well, there's collective thoughts about that, and it's
12 gotten more complicated in recent years as people have
13 re-examined a bunch of the time-honored concepts, is that the
14 most common cause of the subdural hematoma is head trauma and a
15 physical impact either by a blow or a fall or something else,
16 sufficiently accelerating the head to tear blood vessels over
17 the brain and can produce bleeding into the space. That would
18 be far and away the more common situation, but there are other
19 situations that -- in which trauma is not involved that
20 subdurals occur, for example, in elderly people who are on
21 Cumadin or some blood thinner, are at risk for spontaneous
22 subdural hematoma.

23 And if they're overmedicated a bit, or have a very
24 minor head attack, you could get a subdural that way. There are
25 other medical conditions, bleeding disorders of one sort or
26 another that can produce that. And so there's lots of ways that
27 you can do it, but physical forces would be the most common one.

28 Q. And based on your review of the medical evidence that

1 we have here in this case, CT scans and so forth, are you able
2 to form an opinion as to whether or not Kerianne Bradley indeed
3 had a subdural hematoma?

4 A. Oh, yes, she did. No question about it.

5 Q. And can you describe for the jury what type or size of
6 this subdural hematoma, if that's helpful at all, sir?

7 A. Well, I think we need to look at it in several
8 different time frames because our first glimpses of this process
9 are in the CT scan of the head. And at Rancho -- I forget the
10 name of the hospital, the first local hospital that the child
11 went to, and then on transfer to the San Diego Children's
12 Hospital another CT scan was done.

13 And it showed the same thing, that is, there's -- there
14 is a white density representing blood over a lot of the brain.
15 And that's the first glimpse of this process. You do have an
16 exhibit which we could look at -- that is your choice -- which
17 would shows that.

18 Q. I'm going to get to that?

19 A. Okay.

20 Q. I just wanted to kind of fill in a couple holes here.
21 What is your training in learning how to read a CT scan?

22 A. Say that again? It's a little --

23 Q. Do you have training in learning how to read CT scans?

24 A. Oh, yes.

25 Q. Can you describe for us what that is, sir?

26 A. Well, training, I don't know. Before training occurs
27 you have to learn yourself. Especially with a new technology.
28 And back in the late 70s, early 80s, our hospitals in Chicago

1 got the first scanners. And the neuroradiologists who preside
2 over this kind of equipment freely said, "I don't know how to
3 read these studies," because we never had the technology before.
4 And, "Would you help us do that." So they would have the scan
5 images on their big celluloid films, and they -- the
6 radiologists would come to the brain cutting conference of
7 pathology, autopsies, and say, "Here is what we looked at in
8 life. Show us in the brain what we -- what is there." And
9 because the way we cut a brain and dissect it is very much like
10 the cuts of a CT scanner, you can put the living -- not the
11 living tissue -- but the actual tissue next to the films and
12 say, "Oh, that's what this looks like." "We didn't see this,
13 how come?" You know. And it was a -- very much of a learning
14 exercise for me, the pathologist, as well as the
15 neuroradiologists, and happily that went on for several years
16 until -- I think we all figured out how to read these things and
17 other people did too.

18 Q. Now, can a subdural hematoma be aged and dated
19 histologically?

20 A. Yes, it can.

21 Q. And when I used the word histologically, people
22 probably know, but would you just explain what histological
23 means in the sense of your specialty, please?

24 A. Yes; exactly. That's a fancy term for under the
25 microscope.

26 And so we take a microscopic slide, slice a piece of
27 tissue, in this case dura, and the cloth that goes with it, and
28 I take a look at what's in there. Of course there will be a

1 slice of dura, which we come in our training to know what it
2 looks like, and I can show you also in an exhibit, and what the
3 blood clot looks like, and what the red blood cells that are in
4 the clot look like.

5 And they've undergone changes. These are
6 time-dependent. And if there's healing reactions and scarring
7 reactions and other reactions that are part of the body's way of
8 trying to get rid of this blood clot, they appear on a time
9 schedule as well.

10 So it gives you a chance to, by seeing what's there and
11 what's not there in this blood clot, make estimations of how old
12 it is.

13 Q. Now, have you reviewed any forensic evidence in this
14 particular case, sir, Kerianne Bradley's case, that would allow
15 you to formulate opinions as to the most likely age and dating
16 histologically of the subdural hemorrhage that she had?

17 A. Yes, I can and I did.

18 Q. And what information have you looked at that allowed
19 you to do that, sir?

20 A. Well, I believe there were two slides prepared and
21 given to me by the ME's office that I was able to examine under
22 my microscope in my office lab, and see what was there. I made
23 photographs of these key elements of that, with a camera that's
24 put on my microscope, and prepared an exhibit or two that shows
25 what is there.

26 And basically what we have is a spectrum of aging of
27 blood clot. There are recent collections of red blood cells in
28 which red blood cells look like red poker chips under the

1 microscope. They should be a red brick color, distinct and so
2 forth. I saw those, and that tells me that that kind of
3 blood -- and I like to use the term "recent," because I can't
4 tell microscopically the difference between a red blood cell
5 that came five minutes before the child died to that subdural
6 and was there for two days.

7 So there's a kind of a black-out period of two days
8 during which I can't really do much in the way of aging and
9 dating other than saying, "This blood clot is two days or less
10 old."

11 Then the next thing that I observed is red blood cells
12 that are undergoing color changes, that that red brick color
13 begins to fade and becomes more blue, more purple or lavender,
14 and there were clearly red blood cells that were undergoing that
15 change. And that's another couple of days.

16 So we know that we've got very acute or recent blood,
17 blood that has been there maybe three to four days, and then
18 just sticking on the red cell histology, there are some red
19 blood cells that look -- at the edge that seem to have lost most
20 of the material that was inside of them. So they have kind of a
21 pale pink or lavender appearance.

22 And those are red blood cells that are probably on the
23 order of five days old. From death. From the time of death.

24 Q. You're dating all this from the time of Kerianne
25 Bradley's death?

26 A. When the heart quit and everything stopped.

27 Now, that's -- the red blood cells. Now I have to
28 begin to look at other things that come up because a blood clot

1 attracts scavengers, so to speak, and reparative cells that are
2 going to try to take it away. And it takes a few days for those
3 to appear. And there are a few kinds of those cells present at
4 the edge of the blood clot.

5 Then to -- as this clot sits there for a longer period
6 of time, there's an attempt to try to wall this blood clot off
7 by the formation of scar tissue and new blood vessels and some
8 other things, and I observed some of that too. And that process
9 I make on the order of five to seven days old from death.

10 Then there are other things, as scavenger cells go to
11 work on blood, blood has iron in it, hemoglobin is bound to
12 hemoglobin. It makes up the hemoglobin molecule. And when a
13 cell comes apart, iron is recycled blood. It's very good at
14 that. So it sends cells in to do that and it concentrates the
15 iron from red blood cells.

16 Now, those cells -- and there is a chemical reaction
17 that can be performed on the slide itself that identifies iron
18 and it is a very, very sensitive stain.

19 Q. And did you do that?

20 A. I didn't do it. They were provided to me. So the ME's
21 office had performed that.

22 Q. When you say ME, what do you mean?

23 A. The medical examiner. The coroner.

24 Q. All right.

25 A. And so there were some cells that contained iron at the
26 interface between the dura and the clot.

27 Q. Now, what does that mean, interface between the dura
28 and the clot?~

1 A. Okay. Well, that's where all the action is, at least
2 for the first several weeks in this process, the place where the
3 dura membrane stops and the blood clot is right up against it.
4 The cells that come in and scavenge come from the dura. So that
5 is where some of these iron-containing cells are. They're not
6 deep in the scar tissue, they're not deep into the dura, they're
7 right at that interface. And I have an exhibit to show that.
8 Which tells you the estimate of how long it takes iron to get
9 processed and deposited in these cells is on the order of five
10 to seven days or more.

11 Now, it's important to think about the "or more"
12 because when a process of bleeding has occurred at the dura or
13 many other places, iron scavenging goes on all the time and when
14 the process is done, some of these iron-containing cells,
15 they'll stay there for some reason or another, and can be
16 present for years after a blood clot or an injury.

17 So all I can say is it takes about five to seven days
18 for these scavenger cells to process and display iron, and then
19 they hang on for a long time. And I must say there are very few
20 of them there, which makes me think that they are more on the
21 five- to seven-day side of this business than maybe months on
22 the left.

23 Q. All right. Now, would this be a good time to take a
24 look at the slides you referenced?

25 A. I think it would. We talked about these things several
26 times. Would probably be worthwhile.

27 Q. Which ones would you like to start on, the CT scans?

28 A. Might as well do the sequence, take a look at the CT

1 scan and --

2 Q. We'll put up on the ELMO Exhibit JJ. And you have to
3 kind of turn around, Doctor. Could you tell us what this is?

4 A. Yeah, let's turn it -- let's just rotate it
5 180 degrees. That's good.

6 Do we have a pointer?

7 Q. There's a laser.

8 A. Oh, good.

9 Q. I'm going to give this to you. I had to play with it.

10 A. Just show me which button to push.

11 Q. (Complies.) Okay; all right.

12 So what is it we're looking at, sir?

13 A. Okay. What we're looking at here is a
14 computer-generated, basically a slice of the head just above the
15 ears, can't see the ears here. This is the front of the head,
16 the back of the head, and I claim innocence on this business.
17 The radiologists always switch sides, so things that we see on
18 this side are in fact the right side of the head. I don't know
19 how they manage to get that convention going, but we live --

20 Q. So left is right and right is left?

21 A. Left is right, right is left. So this is the left side
22 of the head, front of the head, back of the head. If there were
23 ears, they would be right here.

24 So this a slice, sort of a hat band of this child's
25 head, Kerianne Bradley, taken at in a study at the San Diego
26 Children's Hospital.

27 Q. And why is this CT scan important for us?

28 A. Because it shows us a number of things that give us a

1 preview of what's going on with this child.

2 Q. And can you explain what those number of things are for
3 us?

4 A. Right. Let me get oriented here.

5 Q. Thank you.

6 A. What we have here, the white is the skull. And it
7 contains calcium. So that stops the X-rays that are used in the
8 CT process, and make it white. What we see here otherwise is
9 this gray. That's the brain. And it is tight up against the
10 skull. There should be a little group of black material here.
11 There's very, very little of that. And that is cerebral-spinal
12 fluid, or basically water. And there should be a -- probably a
13 quarter inch or more layer of that all the way around the brain.
14 And it tells us there's increased intracranial pressure that has
15 driven out and caused to be absorbed the cerebral-spinal fluid.
16 Which means there's something not good going on here. This
17 brain is swollen and cerebral-spinal fluid has been absorbed to
18 make way for the swelling and try to keep the pressure as low as
19 it can be.

20 Now what we see in the middle of the brain are these
21 sort of pattern there. These are called the ventricles of the
22 brain. And that's normal. That -- right here is where the
23 cerebral-spinal fluid is produced. Now these -- I guess you'll
24 have to take my word for it -- these are compressed -- these
25 upper part of the ventricles should be about the size of the
26 oval that I'm describing with the laser. They're definitely
27 squeezed down.

28 Now, the -- the other part is that -- in some parts of

1 the brain you can begin to see a little convolution here, some
2 of the wiggles and squiggles on the surface, but they're mostly
3 compressed. In some places you can begin to see a little
4 difference in density between the white matter, that's all this
5 stuff down here, and the gray matter which are the -- is where
6 the nerve cells are.

7 And the fact that you can't see much differentiation
8 there is a bad sign. It means that blood circulation in that
9 brain is essentially nil. And that's a very bad thing. That
10 means that essentially this brain is dead and/or dying, has been
11 deprived of circulation, probably because of pressure that is
12 there, that the blood can't pump against, the heart can't pump
13 against and profuse the brain.

14 Q. Because the brain has got nowhere to go?

15 A. Because the brain -- there's pressure inside the head
16 that resists that inflation, or at least the profusion of the
17 blood.

18 Q. Okay. Now, can I stop you just for a second?

19 A. Yeah.

20 Q. Because I know we're throwing a lot of information out
21 here, and I just want to slow down a little bit to have you tell
22 us what you mean by a couple of these terms, okay?

23 A. Sure.

24 Q. Now, you used the term intracranial pressure. Now,
25 what do you mean? Can you tell the jury what you mean by that,
26 please?

27 A. If I were to take basically a tire pressure gauge, or a
28 more sophisticated one, and actually go through the skull and

1 stick it into the internal environment of the head, there is a
2 small amount of pressure there. It's equivalent to venous
3 pressure, which is between 5 and 10 millimeters of mercury.
4 Your systolic when they take the blood pressure when the heart
5 beats is 120 or something like that. And when it relaxes, if
6 you could just measure venous pressure only, it would be about 5
7 to 10 millimeters of mercury. So there would be some pressure.
8 And that is the normal environment inside the skull that the
9 brain likes to live in.

10 Q. Is it important for that pressure to remain constant?

11 A. Yes, it is.

12 Q. And what happens when that pressure -- well, is there
13 some kind of -- what happens, I guess maybe the question would
14 be, what happens to the brain when it can no longer maintain
15 that equilibrium of pressure?

16 A. Well, it interferes, as we have seen here with
17 circulation. It may rise to the place where the blood can't get
18 into the brain or, more critically, at first that blood can get
19 in but it can't get out. And so there is a chain of events that
20 are not good.

21 And I have some diagrams a little later maybe that we
22 can revisit that talk about this dynamic that it's important to
23 maintain this low level of pressure inside the head and it can
24 be done by regulating the cerebral-spinal fluid. And if it
25 fails, then you end up with problems like respiratory failure,
26 unconsciousness, and brain death, basically, is what I'm talking
27 about.

28 Q. You just suggested you have a slide in a moment we can

1 get to explain these things in greater detail?

2 A. Yes. We can do that in a few minutes, if you like, or
3 whenever you want.

4 Q. Well, I'd like to do that, but it sounds like what I
5 ought to do then is -- be quiet for a minute and let you explain
6 what it is you were trying do on the CT scan, if you don't mind.

7 A. I need to show some other things on here.

8 Now, what we have, there is a membrane part of the dura
9 that infolds and separates the two cerebral-hemisphere from one
10 another. And the term that's been given to that is the falx,
11 f-a-l-x. And what we have are white density along that. You
12 shouldn't see that. That represents that there's some blood
13 attached to that falx, the white imaging inside indicates blood
14 density or basically the iron in the blood. So that represents
15 a dural hemorrhage along this point. It sort of splits in the
16 back.

17 And then, if we go around this hemisphere over here on
18 the right side, you notice that there's some infoldings and so
19 forth, and that is probably blood. That means there is a
20 subdural hematoma most likely over that portion of the brain.
21 And in some places you can see a little black density underneath
22 it, which represents the surface of the brain and a little bit
23 of spinal fluid that's there. So we have a recent subdural
24 hematoma that's covering a good bit of the right side of the
25 hemisphere here.

26 So to recapitulate the findings that we have, we have
27 an acute or recent subdural. It's hard to tell exactly how old
28 it is from a CT scan. On the right side of the brain and along

1 the midline, and probably some collecting back here at the end
2 of the back of the head we have a very, very swollen brain and
3 we have a brain that is not getting the right kind of blood flow
4 to it. So we have cerebral edema, or a brain swelling, subdural
5 hematoma, and profusion failure, if you want to call it that, of
6 the brain.

7 I have another image which we can just add to that, if
8 you like.

9 Q. All right. We can move this along.

10 Would Exhibit KK, is that the other image you're
11 speaking of, sir?

12 A. Yeah. Let's turn it around, rotate it again the other
13 way.

14 Good. Now we're kind of losing our -- maybe we can --
15 just need to zoom it out, because that is kind of -- it's whited
16 out.

17 Oh, well. Never mind. Basically we've lost the
18 contrast. Now see if you can cut that brightness down a little
19 bit.

20 There we go there. That's -- and just a little bit.

21 Q. Doctor, while we're trying to focus this --

22 A. Yeah, if you can get it back a little bit.

23 There we go. Stop. Good.

24 Q. Is that still good?

25 A. That will do.

26 Q. All right.

27 A. What we have here is again the same front of the head,
28 back of the head, right, left. Here along the side we can see a

1 little more scalp, white, so there's -- the subdural is a little
2 more evident there. We can certainly see that the falx is
3 white. We see the same thing, that the brain is flattened up
4 against the skull. There's very little cerebral-spinal fluid
5 there. So it just shows another view of this process.

6 So the first view that we have, that this child has,
7 the things that I've said, subdural hematoma, brain swelling,
8 and profusion failure of the brain.

9 Q. All right. And should we move along then to the next
10 slide?

11 A. Then we can; uh-huh.

12 Q. I'm not sure the order of these, sir. We've got --

13 A. Just the one you -- that's up on top is good.

14 Q. Subdural clot? This is Exhibit NN.

15 A. Now let's see what we can do here.

16 That's probably pretty good. I think we might
17 overshoot again, so -- that's pretty good.

18 Q. What is this we're looking at?

19 A. Okay. What we're looking at here is a
20 micro-photograph, or a photo-micrograph of a portion of the
21 subdural blood clot. Now, there's no dura pictured here. This
22 is strictly blood clot.

23 Q. And is this part of -- you talked to us earlier about
24 some slides that you received from the medical examiner?

25 A. Yes.

26 Q. Is this one of those?

27 A. Yes, it is.

28 Q. Okay. And how can this slide help us understand this

1 process that you've been talking about with the dating and aging
2 of the blood?

3 A. Well, as I indicated before, we need to take a look
4 under the microscope at what's in this clot. What are the
5 character of the red cells and other cells that might be present
6 that can help us with this aging and dating.

7 Q. Have you done that with this exhibit?

8 A. Yes.

9 Q. And would you explain please what it is you did and
10 what the results were?

11 A. Okay. Well, what we have down here is pretty much a
12 solid portion of the clot. And you can see yourself it has a
13 red brick color. You can't see too many of the red cells,
14 although if I had the microscope here we could go down and see
15 that they are distinct, and all red brick color.

16 But as we start moving out away from this clot a little
17 bit, we begin to see some changes in color. I hope you can
18 appreciate that, that it isn't all red brick, it's undergoing
19 some color changes.

20 And those are the things that take three or four days
21 to -- more than two days to occur. So we see it -- a recent
22 blood clot, and I can't -- I'll say it again -- I can't tell you
23 whether these red blood cells got there five minutes before this
24 child's heart quit beating or if it were there for two days.

25 Q. I understand.

26 A. Now, in order to get changes like this, it means that
27 some of this blood must have been around three or four days,
28 from the time of death.

1 Q. And you're telling us -- when you say three or
2 four days from the time of death, you're talking about more of
3 the splotchy type of what we see on this --

4 A. This stuff up here.

5 Q. All right. Now, how is it that both of these -- I
6 guess -- how can we -- how is it that both of these can be on
7 the same slide? Does that make sense?

8 A. As we go further, we can see more spread in aging. It
9 tells us that this process is clearly not a one-shot deal. It
10 tells us that the process, the bleeding process, is incremental,
11 that something happened in the last two days while this child
12 was either in hospital or prehospital, it tells us that there
13 was some bleeding in this general vicinity for a day or two
14 before that.

15 Q. A day or two before hospitalization?

16 A. Yes.

17 Q. So hospitalization is on February 4th of 2006. What
18 portion of Exhibit MM demonstrates blood that existed a day or
19 two before February 4th?

20 A. Right.

21 Well, this stuff down here could have been all the day
22 of admission. I don't know. I have no way to tell. Under two
23 days of age, two days from the time of death.

24 So what you reported one day before hospitalization,
25 possibly. During hospital, most likely too, and then stopping
26 at death.

27 And then we have other portions of the clot perhaps
28 being elevated by new bleeding underneath it that represent an

1 older layer of blood that was there. So it clearly shows a time
2 sequence of this process.

3 Q. And that time sequence is, in your opinion, based on
4 your skill, training and education and background, at least two
5 days prior to the date of admission?

6 A. Right.

7 Q. All right. And is that all we can gather from
8 Exhibit MM?

9 A. That's about all we can go with. That's as far as we
10 can go with this one.

11 Q. All right. And so how about we take a look at
12 Exhibit NN?

13 A. Yeah; that's okay. Let's just leave it at that.

14 Q. All right. What is Exhibit NN?

15 A. I should mention that the microscopic slide that these
16 things came from, the amount of tissue there is about like that.

17 MR. BROWN: And, Your Honor, can the --

18 THE WITNESS: Maybe an inch wide, quarter of an inch
19 deep. And of course has had slices through it. So we're not
20 talking about a little tiny piece, this is -- vast majority of
21 that is blood clot. But part of it is dura, which we can see
22 here, I can point out.

23 Q. (By Mr. Brown:) Please, can you do that for us?

24 A. Okay. This is the dural membrane, right here. All
25 this stuff below here. So there's not much going on. It's
26 mostly collagen. Or I won't say scar tissue, because it's
27 normal, but it's dense connected tissue. And above that is part
28 of subdural hematoma.

1 Now, one of the things that we're interested in is
2 what's going on at that interface because cells like this from
3 the dura are going to be migrating, and we do see a few of them.
4 There a few cells, these darker blue dots are cells that are
5 migrating up there to do their work.

6 Q. And where are they migrating from, if that makes sense?

7 A. Well, they're coming from blood vessels and cells and
8 are sitting here waiting to be called, so to speak. There are
9 chemical signals that come from this blood that say, "Wake up
10 now, and come up here and clean this mess up."

11 So we're beginning to see a few of these at the
12 interface right here and there, and that takes a couple of days
13 for that to begin to occur.

14 Q. Is there a term that we can associate with that
15 process?

16 A. Well, it's part of the healing and repair reaction that
17 a subdural gives.

18 Now, the more important aspect, I mean that's important
19 enough, because it gives us -- these cannot occur in an hour or
20 two or whatever after hematoma. It takes a couple days for
21 these cells to wake up and get there.

22 Now, what we have above that is a blood clot that --
23 there's very little brick red in that. A lot of these cells are
24 lavender or pink color. And these are red blood cells that are
25 on the order of three to five days old now.

26 Q. Now, how do those relate to the -- this slide that we
27 talked about a minute ago, Exhibit MM?

28 A. Just another immediately adjacent or -- piece of

1 reaction, so --

2 Q. Associated with each other?

3 A. Yeah; sure. It's on the same piece of slide.

4 Q. All right. So the slides -- when I'm showing you the
5 different exhibits, and putting up on the board, are they from
6 the same slide that you looked at?

7 A. Yes.

8 Q. So when the medical examiner went through during
9 autopsy and did the slice of -- what he did is take this
10 basically from the same area of the brain?

11 A. Well, wherever he took that sample of dura from, and
12 you've got your whole choice, you know, piece as big as my hand
13 where they took them from, and I don't know where that is,
14 physically, but it clearly sampled subdural hematoma. And it's
15 not untypical that at one part of it you'll have more of acute
16 stuff and then right next door maybe half an inch away will be
17 something that's a little bit older, or show something else.

18 Q. Now, how does that help us in understanding what the
19 ongoing process was with Kerianne Bradley?

20 A. What is -- ask me that again. I want to be sure.

21 Q. How does this process help us in understanding what was
22 going on with Kerianne Bradley? And I mean Exhibit MM, as
23 compared to Exhibit NN.

24 A. Okay. It tells us that we have a sequence of bleeding
25 here over time, and repair, and aging of that blood that's
26 commensurate with that. So it tells us that this is not a
27 one-shot, one-time process, but it's a subdural that has its
28 beginning probably up to five to seven days before this child

1 died. And we've got a glimpse of that here with early repair
2 cells. They don't get there before a couple of days. Red blood
3 cells that have now lost most of their character. And that's on
4 the four to five days or older time frame.

5 So we've now documented -- if we want to have a movie,
6 we saw the first several frames of the movie as the
7 under-two-day old blood. Now we have a frame in this movie that
8 says "Oh, we've got blood that's now three to four, five days
9 old," other processes that are in that same time frame. So I
10 think we've done pretty well to show that this is an aging
11 process and probably had peaks and valleys in terms of the
12 bleeding that went on here.

13 Q. And in your skill, training, education and background,
14 is it your opinion that this child had a subdural hematoma
15 bleeding progress says ongoing prior to February 4th of 2006?

16 A. Yes.

17 Q. And what's the basis for that opinion?

18 A. What we're looking at here, and some subsequent
19 pictures I'm going to show you.

20 Q. All right. And would Exhibit 00 be helpful next in
21 line of slides?

22 A. Okay. Now, let me point out what we have here. This
23 is a higher power picture, and the dura of course is along like
24 this. And it's all this stuff down below what we have up here
25 is blood clot, and complexity within it.

26 Now, this one we've got a lot of red brick colored red
27 blood cells, but we also have some lavender colored ones. So we
28 are getting a mixture of recent and older.

1 More importantly on this one we have a collection of
2 cells that are forming at or close to the dural surface that
3 clearly are -- you have to take my word for it -- they're
4 scavenger cells. And those are cells that have more than three
5 days on them. I mean, this is on the five- to seven-day time
6 frame.

7 And I hasten to add that subdural hematomas were
8 studied a long time ago in terms of aging and dating, about 60
9 or 70 years ago. And where they took a number of cases that
10 they knew exactly how old the subdural was and said "What's in
11 it," and that forms the basis for kind of -- that we know what
12 we're doing here.

13 Q. Now, the Exhibit 00, is it your opinion -- or let me
14 ask you this: Based on what you see, you just described for us
15 in Exhibit 00, do you have an opinion within a reasonable degree
16 of medical probability as to whether or not these lavender blood
17 cells were in existence three to five days before February 4,
18 2006?

19 A. Yes, I would say a little more. Well, you know, here
20 again, we have a spectrum of aging that goes probably up to
21 five, six, seven days. Something like that.

22 Q. And based on your skill, training, experience and
23 education, what is the likely cause of these being there three,
24 four, five days prior to February 4th of 2006?

25 A. A subdural that has been there that long. Or a
26 portion -- portions of it.

27 Q. All right. And is there another?

28 A. Yeah, a couple more. Thank you.

1 Q. Okay. Exhibit PP. Does that help, sir?

2 A. Yes.

3 Well, here we have some color rendition problems, but
4 never mind. We can work our way through it.

5 We have several pictures here where the dura goes along
6 this way. It might benefit from brightening a little bit. You
7 can try that.

8 Q. Are we still?

9 A. That's good. Let's just take it there.

10 Q. Are you still looking at the same slide?

11 A. Yes, we're looking at another area from this same
12 slide.

13 Q. That the medical examiner took?

14 A. That's right.

15 Q. And forwarded to you?

16 A. Exactly.

17 Now, I didn't -- these represent special stains called
18 an iron stain. The name for it is Prussian blue. And it
19 generates -- wherever iron is, it's a bright blue, that are
20 going to be difficult to see with this projection, but what we
21 have here is the dura going along like so, and right at the
22 interface of this dura are a couple of cells -- I put an arrow
23 there. If we could show them a little different way, it has a
24 blue color, a bright blue color. So it's clearly iron. And the
25 beauty of this stain is there's not much else that's stained.
26 It's one of those very specific things that if it's blue, it's
27 iron. It's nothing else. So --

28 Q. I've used the term throughout the trial of iron

1 pigment; is that --

2 A. Yeah.

3 Q. Okay.

4 A. All right. This is material that the cell has ingested
5 that probably has a bit of residue of hemoglobin still with it,
6 but the iron is being concentrated. And, as I say, the
7 importance here is that it's right at the junction between the
8 clot and --

9 (INTERRUPTION IN PROCEEDINGS.)

10 THE WITNESS: -- between the dura and the blood clot,
11 and the blood clot here, you can hardly see any red brick color.
12 I think these are totally degenerated red blood cells that have
13 lost everything in them, which puts them out about seven days or
14 so.

15 Q. (By Mr. Brown:) So based on your skill, training,
16 education and background and the exhibit that we're looking at
17 now, I think it's just -- PP, do you have an opinion within a
18 reasonable degree of medical probability as to whether or not
19 those, that iron pigment that we see there, existed seven days
20 or more prior to --

21 A. Uh-huh.

22 Q. -- February 4th of 2006?

23 A. Exactly.

24 Q. And what would --

25 A. Well, February 5, the date of death.

26 Q. I'm sorry. Thank you; thank you.

27 And, based on your skill, training, education and
28 background, what would be the likely cause of this iron pigment

1 in Kerianne Bradley?

2 A. Subdural hematoma that has -- or bleeding that has been
3 in that area that length of time.

4 Q. Should I move on?

5 A. I think we can.

6 Just -- this other one shows another example of that.
7 I think the importance again, to reiterate, is where these cells
8 are. They're not buried in the dura, they're right up in the
9 interface where the blood clot is. And my conclusion is that
10 they're related to that bleed and not something that may have
11 occurred months or years before.

12 Q. Related to the bleed that -- can you explain what you
13 mean by that related to that bleed?

14 A. Related to the bleed that brought this child to a
15 hospital and ultimately participated in her death.

16 Q. Now, these -- and you say that within a reasonable
17 degree of medical probability?

18 A. Yes.

19 Q. All right. Now, can a preexisting subdural hematoma,
20 can it re-bleed?

21 A. Yes.

22 Q. How -- can you explain that process to the jury,
23 please?

24 A. Okay. First of all, in the -- when you have an injury,
25 a bruise, if you traumatize it again or if something else goes
26 on, bleeding can occur in the same cite of injury. It can
27 result from another episode of physical injury much lower in
28 threshold than whatever may have occurred before, medical

1 conditions such as bleeding disorders, clotting disorders,
2 infection, and other things may cause re-bleeding in the
3 subdural. The fact is, for whatever reason, subdurals re-bleed.
4 And that's a fact that's been known for over 100 years now.

5 Q. Can -- does the fact of a prior subdural -- subdural
6 hematoma in Kerianne Bradley prior to February 5th of 2006, do
7 you have an opinion within a reasonable degree of medical
8 probability as to whether or not that would make her more
9 susceptible to another bleed?

10 A. Yes, it would.

11 Q. How so?

12 A. First of all, it sort of makes sense that if you've
13 injured something before, that it would take less to produce
14 some further injury at that same spot.

15 Okay. That's seems a logical proposition. But what
16 proof do we have of that? Well, there's human case material
17 that shows that this is so, where children that have had fluid
18 collections or subdurals over the brain have suffered the kind
19 of an injury that you'd say, "That shouldn't do anything," and
20 yet it did. And there's case material in the literature that
21 talks about that.

22 So it isn't a crazy idea. The fact is, we don't know
23 how much lower the threshold has been pushed by a prior injury.
24 We can make some estimates about that, but that's all we can do.

25 Q. Now, I don't want to sound coarse or anything by asking
26 you this question this way, but I mean, is what you're
27 describing now, with a person being more susceptible to a trauma
28 that would cause this to re-bleed, I suspect it would be

1 difficult to get volunteers to actually undergo testing for
2 that.

3 A. Right. You really can't do that. You have to use
4 nature's experiments.

5 Q. So is there any way for anybody to be able to say what
6 kind of force would be necessary in order to -- or trauma,
7 whatever the word may be, from a medical standpoint of things,
8 what would be necessary in order to cause these preexisting
9 subdural hematomas in Kerianne Bradley to bleed again?

10 A. Well, they can read -- anyone else could read the same
11 case material that's there, and maybe come up with some new
12 cases that could give us better numbers, but I don't think you
13 can do anything better than saying this is the phenomenon,
14 injury thresholds are lowered if you have a prior subdural
15 hematoma. How much? Who knows.

16 Q. Now, you're familiar -- you talked to us earlier about
17 your understanding of a car door striking a child in this case,
18 correct?

19 A. Yes.

20 Q. And what is the basis for your understanding that that
21 occurred here?

22 A. You're recalling the impact to the car door?

23 Q. Yes.

24 A. Yes.

25 Q. Yes, sir.

26 A. Well, first of all, we have to say that a car door
27 isn't going to give way too much. It's rigid, and we know you
28 can bump your head on those things, and you probably have all

1 done it. And so this may represent an analogous impact to a
2 very, very short fall, say about one foot, or something like
3 that.

4 It could be possible, if you had the proper technology,
5 what model-dome is in computer programs, and all of that, to
6 model this thing out and measure, get some numbers to this
7 particular scenario. But I think it may be appropriate to
8 simply look at what might be some other kind of scenario that
9 would be similar to that. And we do again, in the case
10 material, have instances of children that have had the
11 equivalent of one-foot falls, that have caused re-bleeding i
12 subdurals. Not too many of these are reported, but they're
13 there, so --

14 Q. Based on your skill, training, education and
15 background, sir, do you have an opinion as to whether or not
16 this car door striking the child could be of sufficient force to
17 cause this preexisting subdural to re-bleed?

18 A. My answer to that is that this impact scenario is a
19 candidate, a good candidate. I don't know whether it did cause
20 re-bleeding or expansion of what this child already had. All I
21 could say it certainly -- it could. And whether it did or not,
22 I don't know.

23 Q. And the question that I would think comes out of that
24 is -- I just forgot what that question was. Bear with me just
25 for a second, please. It will come back to me, I'm hoping.

26 Would it be -- sir, do you have an opinion whether or
27 not it would be the most likely candidate?

28 A. Well, of all the other circumstances, this is

1 certainly --

2 MR. WALSH: Objection; speculation, foundation at this
3 point.

4 THE COURT: Sustained. The doctor started to answer
5 that question the jury is to disregard it. Next question.

6 MR. BROWN: Thank you, sir.

7 Q. (By Mr. Brown:) I'll come back to that as soon as I
8 remember the question.

9 We were talking about a slide relating to intracranial
10 pressure. Would this be appropriate at this time?

11 A. We can go to those, if you like, yeah.

12 Q. Exhibit QQ. Would you explain to the jury what we're
13 looking at here, sir?

14 A. Yeah, there we go.

15 Q. What are we looking at there?

16 A. Okay. We were talking before about the dynamics of --
17 and mechanisms of so what -- what does a subdural do to you, why
18 does it make you sick, and how does this whole thing work. And
19 I indicated before that -- and we can just walk back through
20 this a little bit, don't get excited about the equations, I'll
21 just walk through that, but this is a diagrammatic depiction of
22 the intracranial environment, and the black is the skull, and
23 there's the brain, and there's the spinal cord. And we might
24 say, "Well, what's inside?" Well, we have the volume of
25 whatever makes up the brain and the spinal cord. There's going
26 to be blood that's in blood vessels. That makes up a volume
27 that's inside there. And then we have the volume of
28 cerebral-spinal fluid in the ventricles and all around through

1 it. And then, if we have a situation here where there's a
2 subdural hematoma, that represents a volumetric component also.

3 Now, if any of these things is going to change, like
4 that subdural is going to increase in size, what's going to
5 happen? The pressure will have to go up. It would be like
6 filling this courtroom with as many as people as you think you
7 can get in here, and then four more come. Well, it gets pretty
8 uncomfortable if did you that. And that would be pressure. And
9 that's what would happen inside this space if something came in
10 and there was no way to compensate.

11 Well, we do have a compensation, and the
12 cerebral-spinal fluid is it. But you have a finite volume. It
13 would be like saying, "Well, okay, we're all going to lose some
14 weight real quick to make room for those four people or more
15 that are going to try come in here," but there's a limit to what
16 you can do, and that limit is the volume of the cerebral-spinal
17 fluid. And a little baby like this maybe has 40 milliliters,
18 50. And that would be the equivalent of the lower portion of
19 this cup.

20 Q. Styrofoam cup?

21 A. Yeah, we've got about our volume in here now but it
22 would be about so much in that cup.

23 Q. Just for the record?

24 A. Yeah, or if -- I sometimes bring a beaker, and there's
25 a little beaker about that big around, about this high, and
26 that's 50 milliliters. So when that's gone then you can't
27 compensate anymore. Some of the other things have to leave,
28 which is blood. Not a good thing. And that's what we're seeing

1 in the radiographs of this -- of this child.

2 Q. All right.

3 A. That we have seen the cerebral spinal fluid for the
4 most part gone. Blood is next. And then at that point things
5 are not good. And we -- yeah.

6 Q. Move on to the next slide?

7 A. Yeah, we can move on. This just sets the stage for
8 what I'm --

9 THE COURT: Why don't we take our morning recess,
10 reconvene 15 in minutes.

11 Please remember the admonition: Please keep an open
12 mind. Don't draw any conclusions about the case. Please don't
13 talk to anyone about the case.

14 See you back in 15 minutes. Doctor, see you back on
15 the stand in 15 minutes, sir.

16 (RECESS TAKEN.)

17 THE COURT: All right. Let's go back on the record in
18 SWF-015286. All parties are present before the Court. We're in
19 the presence of the jury.

20 Doctor, do you understand you remain under oath?

21 THE WITNESS: Do I understand what?

22 THE COURT: You remain under oath.

23 THE WITNESS: Oh, yes.

24 THE COURT: Okay; very good.

25 THE WITNESS: Absolutely.

26 THE COURT: Mr. Brown?

27 Q. (By Mr. Brown:) Doctor, we're kind of done with the
28 diagram on the board now?

1 A. Think we've said what we need to do there. Move on to
2 the next one.

3 Q. Believe it or not, I just had very short questions for
4 you here. I did remember the question I wanted to ask. Is
5 there any way, from a forensic or scientific or medical
6 evaluation, to correlate the size of or shape of or the look of
7 an external bruise to someone as to what's going on inside her
8 head?

9 A. That would be a reach. That would be very, very
10 difficult to do.

11 Q. So have you seen circumstances where someone has had a
12 massive incumbent bruise on their head with no subdural
13 hematoma?

14 A. Correct.

15 Q. And have you seen or do you know of circumstances where
16 a person has had quite a small mark on their -- anywhere on
17 their head and actually had a subdural hematoma?

18 A. Yes.

19 Q. Okay. So it's not unusual to have that occur?

20 A. No. The disconnect is I wish it was always one-on-one
21 but it certainly is not.

22 Q. All right. Now, the other area I wanted to just kind
23 of go back real quickly talk about the iron pigment being there
24 for approximately seven days?

25 A. Uh-huh.

26 Q. And I forgot ask you a question about that. In your
27 review of the slides, did you see any kind of scar tissue or
28 scarring around that iron pigment which would cause you to

1 believe it existed prior to that seven-day time period?

2 A. As I indicated, it's right at that interface where all
3 the action is taking place regarding healing. And if this were
4 due to a birth-related injury or something months or weeks
5 later, I would expect these cells to be entombed or at least
6 enmeshed by scar tissue in the dura, and they're not.

7 Q. They do not exist in Kerianne Bradley?

8 A. No, they're right there.

9 Q. Now, what could be a likely cause of the subdural
10 hematoma -- or the subdural hematoma which cause this iron
11 pigment to be about seven days old?

12 A. I would say statistically -- I have to go statistics.
13 I can't go specifics here. I would say some kind of physical
14 impact to the head. A fall would be the most, you know, common
15 situation, but it could be other things as well. I simply don't
16 know.

17 Q. And you're aware of the fact that some people earlier
18 on in that week prior to February 4th, like on the Sunday or
19 Monday prior to, have suggested that the child seemed to be
20 fine --

21 A. Yeah.

22 Q. -- in their opinion. Can you explain to the jury why
23 someone could have a subdural hematoma with this process going
24 on but appear to be fine in --

25 A. We come back to this and the next exhibit which we're
26 going to have.

27 Q. All right.

28 A. It's all a question of volume. How quickly a subdural

1 hematoma event evolves. If it's going faster than the bilge
2 pumps can keep up, then it may become symptomatic. The majority
3 of these things are -- it's amazing, but they are relatively
4 asymptomatic until they either get too big, or too big too quick
5 and cause symptoms.

6 Q. So one -- you can have like a waxing and waning kind of
7 a process?

8 A. It depends, again, how much volume got there and how
9 much cerebral spinal fluid you can have left to compensate. And
10 it is not uncommon to have no symptoms at all, but as the lesion
11 evolves in size, then to have a symptom and then none. Because,
12 again, the compensation is occurring. And we can't compensate
13 anymore, can't absorb, make room for this new change, whatever
14 is there, then you get symptoms and they may be catastrophic.

15 Q. And based on the slides and your testimony so far, is
16 there any way for anybody to say within a reasonable degree of
17 medical probability that any of these subdural hematomas were
18 intentionally -- were caused by an intentional act by someone?

19 A. I don't know how anyone could know that.

20 Q. Let's go to Exhibit RR. I think you said that that
21 would help with the intracranial pressure issue.

22 A. Okay.

23 Q. How does that help us, sir?

24 A. This is just another way of looking at what I've been
25 taking about. And let's not let the graph scare you. Basically
26 what we're doing over here -- maybe we can shift it over a
27 little bit. Anyway, there you go. That's better.

28 If pressure is zero here, and moving up that way, and

1 volume is whatever it is, and getting bigger in that axis,
2 somewhere in here is how we all like to operate; that is, we're
3 in the normal range, below ten millimeters of mercury or venous
4 pressure. If the volume changes, then hopefully we can
5 compensate and keep us in this space. And now when we end up
6 with somebody who's operating close to that wire over there, and
7 my analogy for this is imagine you've got \$100 in a checking
8 account, and I hope you have more than that, but if you write a
9 \$50 check, no problem. The bank will pay it and nobody knows
10 anything. If you start writing some checks and you start
11 getting close to this \$100 mark over here, the bank may call you
12 and say your balance is critically low, I want you to know that.
13 That's a symptom. And if that occurs in this kind of situation,
14 a symptom may be irritability, vomiting, sleepiness, stuff like
15 that. A seizure, maybe. And if you can put a couple more bucks
16 into your savings account or into your checking account then you
17 move back into the safe territory and you may be completely
18 without symptoms. The bank is happy, everything like that.

19 But the trick is, when you're operating close to that
20 line, a very small incremental increase in volume of a subdural
21 or any other volumetric component, water, brain swelling,
22 whatever it happens to be, can get you up here where a very
23 small change results in a lot of things happening, which is what
24 happens if you write a check for \$101. All kinds of actions
25 that you don't want to happen.

26 Q. And that's the area you're talking about where there's
27 no one that can say what kind of force is necessary in order to
28 get to you over the precipice, so to speak?

1 A. It's all about pressure and involvement. Anything that
2 changes the volume, whether it's a new bleed, a new episode of
3 trauma, some metabolic problems, anything that can change that
4 pressure volume equilibrium, of which there are many components,
5 can get you into trouble if you're operating in close to the
6 wire over here.

7 Q. Doesn't have to be a punch to the head?

8 A. Doesn't have to be anything. It can be anything that
9 changes that pressure volume teeter-totter. And it could be
10 trauma, it could be an impact. It could be willful, it might be
11 accidental, might be nothing.

12 Q. It could just be the natural ongoing process of things?

13 A. Exactly.

14 Q. No problem whatsoever?

15 A. Yeah.

16 Q. Now, are we done with --

17 A. Yeah, we're just -- just to illustrate the point of
18 how tippy this teeter-totter is, is -- yeah, that bar graph
19 would be --

20 Q. We've got -- we're looking at Exhibit SS?

21 A. Right.

22 Q. Can you explain to the jury what that means, please?

23 A. Okay. This represents some experiments that were done
24 on -- probably shouldn't have been done, but were done years ago
25 in which basically a spinal tap was done on an infant and a
26 teenage kid, and water -- not water, but saline solution was put
27 into the spinal sack that -- the equivalent of adding a small
28 amount of cerebral spinal fluid, quickly.

1 And look here what seems to happen. Two milliliters of
2 fluid added, it pushed this baby here well above the region
3 where some symptoms would occur. 15 millimeters of mercury.
4 And if you went up to four milliliters now here is about two
5 milliliters right here, the end of my finger. Four would be a
6 little bit more than that. The equivalent of a vaccine shot or
7 something that you would get at the doctor.

8 That's not a whole lot of volume, but it shows that the
9 baby is much more sensitive to this and it gets them into this
10 zone of trouble very easily and very quickly, whereas an older
11 individual is much more capable of absorbing that stuff. But
12 it's probably based on the amount of the total volume of
13 cerebral spinal fluid an adult has versus a baby.

14 Q. All right. Anything else that this exhibit is helpful
15 for?

16 A. This just shows that once you get over to that point of
17 decompensation, then it doesn't take much to cause a whole lot
18 of things happening, but before that, you can have a subdural,
19 you can have all these things and maybe have no symptoms at all.

20 Q. All right. And we'll move on to Exhibit TT. Can you
21 explain to the jury what this is, sir, and how does it help us
22 understand the ongoing process with Kerianne Bradley?

23 A. The last one base basically shows this whole system
24 collapsing. You have absorbed all of the cerebral spinal fluid
25 that you can, the last remaining reservoir of cerebral spinal
26 fluid is that surrounding your spinal cord, and brain is sort of
27 mushy and squishy and can move around to try to occupy the
28 space, and it tries to go through the hole at the base of the

1 skull, which is about that big around, and can't get through
2 that. So it's like in the crowded courtroom, somebody opens the
3 door, now let's all leave, we'll all build up at the door, and
4 nobody gets out.

5 And so that's what happens, the pressure on the base of
6 the brain and brain stem causes loss of consciousness because
7 that's where consciousness resides, respiratory centers are
8 there also, they get squeezed, and they quit. And then all
9 kinds of things happen where the pressure goes way up to the
10 point where the brain can't get the circulation it needs and
11 that makes it even worse because then the brain will swell,
12 making pressure go up even higher.

13 So you're kind of on a merry-go-round, you can't get
14 off, and it's very, very critical if you were going to intervene
15 in a child like this, or anybody who's in that state of affairs.
16 It's really kind of a flip of a coin whether you're going to be
17 able to go back down that curve and get into good territory or
18 it's gone beyond what you can do.

19 Q. Well, is that possible for someone to have intervened
20 with this child prior to February 4th in order -- and corrected
21 this increase in pressure?

22 A. Well, it's all pressure and volume. If you make some
23 of that volume go away, you might have a chance of never
24 reaching that, of the curve going up, and that would -- could be
25 a surgical procedure to take away the subdural, medication to
26 cut down on brain swelling, or a combination of both those
27 things.

28 Q. But medical science exists in order to accomplish that;

1 is that true?

2 A. Yes.

3 Q. So if someone would have diagnosed perhaps a subdural
4 hematoma in this child on that Wednesday, February 2nd, do you
5 have a within a reasonable degree of medical probability whether
6 or not they would have been able to keep Kerianne Bradley from
7 going over this pressure?

8 MR. WALSH: Objection; relevance, speculation.

9 THE COURT: Sustained.

10

11

12 Q. (By Mr. Brown:) Let me ask you these questions,
13 Doctor. You talked to us about the finding of iron pigment in
14 your analysis in relation to the preexisting condition for
15 Kerianne Bradley, correct?

16 A. Yes.

17 Q. All right. Is it scientifically or medically
18 acceptable to ignore those findings and analyze what was going
19 on with this child?

20 A. You mean the iron pigment observed at autopsy or not
21 observed at autopsy?

22 Q. Well, it was observed?

23 A. Yeah; it was, right.

24 Q. Is it scientifically or medical acceptable to ignore
25 that preexisting condition in an analysis of what's going on
26 with this child?

27 MR. WALSH: Objection; argumentative, relevance.

28 THE COURT: Sustain the objection; argumentative.

1 MR. WALSH: Move to strike the answer.

2 THE COURT: Granted. Jury is to disregard the answer.

3

4

5 Q. (By Mr. Brown:) Is it important for a person trying to
6 analyze what happened or what the prior condition was with this
7 child to consider matters such as iron pigment in the analysis?

8 A. It's important to consider that because it's something
9 on the table of objective fact and you have to deal with it.

10 Q. And is it also important, sir, to have an understanding
11 of the child's physical or mental well-being in analyzing what
12 was going on with her?

13 A. Well, the job of the pathologist that turns out most
14 commonly is to perform what we call clinical pathologic
15 correlation, and clinical or historical, and you can lump the
16 two together, and just say here are events that have been
17 described, do we see anything in pathology that correlates with
18 that or can speak to any of those issues, or answer questions
19 about them. So that's what we do if you end up with something
20 that says chronic, then we may look backwards to history and say
21 is there anything in the history that would, you know, overlap
22 with that. And that's where the historical information of the
23 physical state of the child before she crashed and burned, so to
24 speak, is important.

25 Q. And have you factored those matters into -- did you
26 take those matters into consideration in your opinions that you
27 provided for the jury today?

28 A. Yes, we've been talking about that. And I think that

1 the clinical pathologic correlation speaks for itself.

2 Q. Now, based on your review of these matters, and your
3 skill, training, education and background, do you have an
4 opinion, Doctor, as to whether or not Kerianne Bradley was
5 suffering from increased cranial pressure prior to February 4th,
6 2006?

7 A. My opinion, yes.

8 Q. And the bases for that, sir?

9 A. The CT scan clearly shows you don't get a CT scan like
10 that in an hour or two or more. You can't. It takes time to do
11 that. Then we take a look at what kind of symptoms were around,
12 and the aging of the subdural hematoma which we know had to be
13 present, based on the facts that have been presented, before she
14 came to the hospital. Again, the facts speak for themselves
15 there.

16 Q. All right. And we've heard throughout the trial
17 commentary about this subdural being massive; is that an
18 accurate statement, in your opinion, sir?

19 A. Well, at autopsy it certainly looks impressively large,
20 but it doesn't look so large on the CT scan at admission. So
21 clearly something was going on, and the bleeding probably
22 continued because the child had a coagulation problem, and
23 meaning that it would bleed more. So at autopsy I think it
24 certainly is significant subdural hematoma. I'm not sure I
25 would use "massive." I don't know, all of these are fluffy
26 terms that need to be defined by whoever uses them.

27 Q. Can increased cranial pressure cause coagulopathy?

28 A. That's a good question. Some might -- you could say

1 yes. It's more often more complicated than that. There are a
2 lot of things can cause coagulopathy. And a child that is in
3 the situation that this one is, comatose and operating with
4 brain -- basically brain death, coagulation problems are very,
5 very, very common, virtually all of the kids will have it.

6 Now, is that a consequence or a secondary phenomenon to
7 all these other things? Maybe, but this child was also not
8 eating, vomiting, and it appears from the initial lab studies
9 probably was dehydrated when it came in, had a fever. Those are
10 things that can produce coagulopathy or premature clotting,
11 intervascular clotting, in a baby.

12 So, you know, there's a possibility that these things
13 were leading to coagulopathy, and then were added to, of course,
14 by what happened later.

15 Q. You've seen the photographs taken at Rancho Springs,
16 correct?

17 A. Yes.

18 Q. Have you seen the photographs taken on autopsy?

19 A. Right.

20 Q. Now, assuming the child was coagulopathic, would that
21 be an explanation for the differences in the size, shape and the
22 color of these bruises as compared from Rancho Springs and the
23 autopsy?

24 A. It certainly has to be factored in, if you're
25 coagulopathic, in any place of injury. I don't care if it's on
26 the skin or dura or anyplace else, will have a tendency to bleed
27 and bleed further, so -- and will get bigger. And which is
28 clearly demonstrated, at least the change in size, and so forth,

1 in a series of photographs that were made of the child. So the
2 subdural probably got bigger on its own, the bruises did the
3 same.

4 And it doesn't mean that there were new injuries,
5 whatever, it's just part of the difficult process of following
6 an injury such as a bruise through all of the medical problems
7 and ending up on the autopsy table.

8 Q. And, in your experience, have you come across the term
9 lividity?

10 A. Yes.

11 Q. And what is lividity, please?

12 A. Well, that's just simply when a person dies the blood
13 that's in the capillaries and so forth will follow the law of
14 gravity and go to the lowest part of the body and it will look
15 like a sunburn, kind of, or purple color and that has -- that's
16 a well-known after death postmortem artifact and has no
17 particular significance here.

18 Q. Would it affect the photographs of these bruises of the
19 child at all?

20 A. Well, if you had bruises in the area where the lividity
21 is, where there's congestion, you can get postmortem bleeding.
22 The bruises can get bigger that way. That's well-known.

23 Q. All right. Now, there's also been the term blunt force
24 trauma used throughout the trial. Are you familiar with that
25 term?

26 A. Yes.

27 Q. And would you describe for the jury what blunt force
28 trauma means, sir?

1 A. It's sort of a shorthand term that's very imprecise
2 meaning that some physical force has occurred that is not sharp,
3 not like a knife or an edge that produces a laceration, it's
4 bruise-producing injury, and it doesn't tell you any more than
5 that. It just -- it could be incidental, it could be inflicted,
6 you never know.

7 Q. So by definition it does not mean that someone
8 intentionally tried to harm this child?

9 A. No, no.

10 Q. Now, is there any way to look at these photographs
11 to -- for anyone to be able to arrive at a conclusion that there
12 was an intentional blunt force trauma inflicted on this child?

13 A. That is a very difficult task that bedevils every
14 forensic pathologist of -- okay, what's the significance of this
15 bruise, that bruise, and so forth. And to understand or find
16 your way through it as best you can, you have to use history,
17 you have to use witness accounts, you have to use any pictures,
18 anything you can have to establish, well, this bruise wasn't
19 there at this time, or it was, but it was very small, now it's
20 bigger here. There's no good, easy formula on trying to find
21 your way through that. And there's a lot of stuff that at first
22 glance at autopsy you might say, "Oh, my goodness, this person
23 must have been in a bar fight," and then you learn that there
24 were four hospitals in between, coagulopathy, all kinds of
25 medical treatment and so forth. And what appeared to be, you
26 know, to your quick eye could be inflicted physical injuries
27 turn out not to be so. That happens all the time and it's very,
28 very difficult to make the determinations on this.

1 Q. And I would have the same question, sir, by the size or
2 shape of an external bruise. Can anybody say within a
3 reasonable degree of medical probability based on the size or
4 shape of a bruise whether or not someone inflicted that through
5 blunt force trauma intentionally?

6 A. Again, that should be approached with great caution and
7 conservatism on the part of forensic pathologists. There
8 clearly are examples where fist marks have been matched and
9 probably are true. To go there with any degree of certainty is,
10 as I say, dangerous.

11 Q. Now, a question that I wanted to ask you is that you're
12 aware that Ryan Mickey was alone with this child for the last
13 hour or so of her life, right?

14 A. That's what I understand.

15 Q. Now, what -- or why did the child choose that time to
16 decompensate?

17 MR. WALSH: Object as vague, relevance, speculation.

18 THE WITNESS: It's all pressure, volume.

19 MR. WALSH: Okay. Sorry, Your Honor.

20 THE COURT: Hold on, Doctor.

21 I'm going to sustain the objection; speculation.

22
23
24 Q. (By Mr. Brown:) Does intracranial pressure play a role
25 in when someone would decompensate?

26 A. Yes.

27 Q. How so?

28 A. Depends where one is operating in the curve one exhibit

1 back. If you're close to being able to hang on, so to speak,
2 and match the pressure volume issues that are present, as I
3 said, an incremental change can push you over. And it may be
4 something the person who was the child did, or may not. You
5 simply don't know.

6 Q. So there's no way to predict?

7 A. Not really, unless you have historical information that
8 somehow you can evaluate. In this particular case the candidate
9 would be an impact to the car door. That could be serious. Or
10 it might have nothing to do with it. You simply don't know.

11 Q. Could anybody?

12 A. Somebody might have an opinion about it, but I don't
13 know how they could, you know, with a reasonable degree of
14 medical and scientific certainly answer that question.

15 Q. Well, Dr. Swalwell told us he could not say within a
16 reasonable degree of medical probability that the car door
17 striking the child didn't cause the subdural. Do you agree with
18 that?

19 A. Yeah, I don't know either.

20 Q. Now, Dr. Swalwell also testified that he would expect
21 to see an inflammatory response; in other words, healing of the
22 brain within four to six hours of the injury. Are you aware of
23 that concept?

24 A. I'm aware that he said that.

25 Q. All right. And are you aware of the fact that he
26 didn't see any evidence in the brain of inflammatory response?

27 A. Correct.

28 Q. All right. Now, Doctor, if that were true, if it was

1 true that the healing process would commence within four to
2 six hours of the insult, or the subdural hematoma here, wouldn't
3 that mean that the subdural hematomas he's talking about would
4 have occurred inside the hospital setting itself?

5 A. Well, if that reasoning holds, then I suppose that's
6 true and that's false. I mean, we know that that's not true.
7 So it's an unfortunate -- or I wouldn't agree with that
8 statement and I think that it speaks for itself.

9 Q. What about a delay. He also mentions -- Dr. Swalwell
10 mentioned a delay in this healing process because the child was
11 in shock or in a chronic condition. Would that delay this
12 inflammatory process?

13 A. I would say there's no good medical evidence that that
14 is so. It certainly is something that an experimentalist or a
15 pathologist could say, "I wonder if there is an effect like
16 that." Then what you do, you have to go to a laboratory or some
17 where to test it. If you simply speculate or hypothesize, it
18 isn't good enough. I mean, that's what it is. It's just maybe.
19 I don't find that there's good evidence that the healing process
20 is affected measurably by much of anything.

21 Q. All right. Now, we had a couple other exhibits here I
22 wanted to direct your attention to.

23 A. One last one.

24 Q. Exhibit UU. It's entitled mechanism of retinal on
25 optic sheath, hemorrhages. Are you familiar with retinal
26 hemorrhages, sir?

27 A. Yes.

28 Q. Okay. And what does this exhibit do for us insofar as

1 understanding what was going on with Kerianne Bradley?

2 A. Again, part of the soul of pathology, and much of
3 medicine, is how does this all work? We see these disease
4 processes, and what's the mechanisms behind them?

5 There have been observed retinal hemorrhages in the
6 retina of the eye; that is the eye sensing elements in the back
7 of the eye, for a long time. And the significance has been
8 debated endlessly. And in recent years many have imputed that
9 retinal hemorrhages in the eyes of babies means inflicted
10 trauma. Now, if that were true, that's a very, very important
11 observation. But life is usually not like that. We don't have
12 litmus tests that tell us one way or another about things, and
13 that is certainly true here.

14 It turns out these two authors, Canadians, took the
15 time to go back and study the research work that had been done
16 for almost 50 years on this particular question. Going back to
17 an experiment that was done at the Mayo Clinic where somebody
18 took a monkey and put a balloon under the skull and pumped it
19 up. It's the equivalent of making a subdural hematoma. And,
20 guess what, they were able to produce retinal hemorrhages and
21 hemorrhages around the optic nerve sheath. And then others did
22 a series of other kinds of experiments. These people put it all
23 together by looking at what the anatomy is, and if we look at a
24 diagram, we don't have to look at this, it's the venous drainage
25 of the eye.

26 But this is the depiction of the retina. It turns out
27 that the blood drains from the retina through a vein called the
28 central retinal vein that goes right down the middle of the

1 optic nerve. And somewhere on the way to the brain, which is
2 back here, the central retinal vein leaves the optic nerve and
3 collects blood from the surface and then enters into venous
4 channels outside the orbit and the eye.

5 Think about pressure and volume again. If there's
6 intracranial pressure because there's a sheath here, it
7 communicates directly with the intracranial compartment. So
8 whatever pressure is in the brain is going to be exposed to the
9 optic nerve. And if you think about it for a second, at your
10 doctor's office they pump up a blood pressure cuff, and if you
11 pump that up to the point where it just cut off venous pressure,
12 10, 15 millimeters of mercury, well, what's your situation.
13 First of all, after a while it's pretty uncomfortable, because
14 blood is coming into your arm and hand, but has no way to leave.
15 So what happens, your hand gets purple, and after a little
16 while, and they made us do this as medical students, you'll get
17 little petechial hemorrhages.

18 And the same things happens to the eye. The pressure,
19 intracranial pressure, if it's up above 10 or 15 millimeters
20 where is squeezes the optic nerve, and blood gets into the eye
21 but can't get out, and what happens, it backs up, and because
22 the capillaries in the retina are very thin and delicate, they
23 burst, and they bleed. And that's the mechanism behind retinal
24 hemorrhages.

25 And, in fact, other studies subsidiary to this one
26 pretty well have shown that, that there may be some rare
27 circumstances of other situations, but it's pressure and volume
28 again. And that's where retinal hemorrhages come from.

1 Q. So is it necessarily an indicator of intentional
2 trauma?

3 A. No. In fact, other studies have -- when you have a
4 problem like this, of causality, you've got to come at it from
5 every direction you can, because that's science. And somebody,
6 a colleague of mine in North Carolina decided to see, "Well, how
7 common are retinal hemorrhages. And if you don't look, how do
8 you know?"

9 So he took -- examined over 1,000 autopsies, 2,000 eyes
10 or so, and looked in them, no matter what they have coming to an
11 autopsy, to see who had retinal hemorrhages. He saw about
12 20 percent. And some of them were head injuries, some of them
13 had brain tumors, some of them had other kinds of stuff.

14 The final common pathway is virtually everybody who had
15 retinal hemorrhages, except people who had leukemia and things
16 like that, had increased intracranial pressure, for some reason.
17 A blood clot, a tumor, whatever. And that 20 percent was in
18 adults as well as children.

19 So it turns out to be a fairly common problem. The
20 final common pathway seems to be increased intracranial pressure
21 according to the model of Muller and Deck.

22 Q. All right. And are you familiar with the term
23 "aspiration"?

24 A. Laceration?

25 Q. "Aspiration."

26 A. Aspiration; yes, of course.

27 Q. And how does that tie into a subdural hematoma, if at
28 all?

1 A. Well, it doesn't have any immediate connection except
2 that if your subdural hematoma is interfering with your pressure
3 volume situation, and you're vomiting, then you have the
4 potential for aspirating, that is inhaling, whatever you vomited
5 out, food or stomach contents or whatever.

6 Q. Bile?

7 A. And if you have vomited out your gastric contents,
8 maybe some of us have done that when you get really, really sick
9 and you keep vomiting, pretty soon you vomit bile because it's
10 down in your small intestine, then that would be not be a good
11 thin to inhale either.

12 Q. Is there typically some kind of noise associated with
13 this aspirating process?

14 A. Well, it can be -- you know, obviously if you're trying
15 to breathe then you're inhaling stuff, and can be noisy, or
16 coughs or chokes or gagging, things like that. Pretty hard to
17 predict exactly how it would sound.

18 Q. Could it be mistaken by a lay person for matters other
19 than head trauma?

20 A. Yeah. Yes, it could.

21 Q. For example, asthma?

22 A. Of course. The act of aspirating, if somebody has
23 asthma, particular tendencies will set off an asthma attack. I
24 mean, it's irritating stuff. So -- it wouldn't be crazy to
25 imagine that it would sound the same.

26 Q. Now, are you familiar with liver lacerations?

27 A. With which?

28 Q. Liver lacerations?

1 A. Oh, yes; a little bit, yes.

2 Q. And have you seen livers be lacerated as a result of
3 improper C.P.R.?

4 A. Yes, I have encountered that a few times.

5 Q. How so?

6 A. In situations where an inexperienced person is attempting to
7 do C.P.R., cardiopulmonary resuscitation, and instead of having
8 their hand up on the chest they may drift down to the abdomen,
9 but if it's a small kid, how big is my hand, how big is the
10 trunk of a baby, it is very, very easy to deliver compressions
11 to the abdomen, and under those circumstances the liver can be
12 lacerated. Sometimes the spleen is ruptured, sometimes
13 intestinal injuries will occur.

14 Q. Do you know that Dr. Murillo -- he was the emergency
15 room physician?

16 A. Yes.

17 Q. Do you know that he did not diagnose laceration at
18 Rancho Springs?

19 A. He said what? I'm sorry.

20 Q. He did not diagnose laceration --

21 A. Oh, he did not. Not that I could see from the record.

22 Q. And there's been some testimony relating to the
23 correlation between the -- well, let me ask it this way: Are
24 you -- do you understand that there's -- on autopsy there was a
25 laceration found in the caudate lobe of the liver and also an
26 issue associated with the adrenal gland?

27 A. Correct.

28 Q. All right. Now, there was -- and I just would like you

1 to explain to the jury based on your skill, training, education
2 and background, the proximity between the caudate lobe of the
3 liver and an adrenal gland in the child of 15 months of age?

4 A. The liver is a big organ and goes basically past the
5 midline near your stomach all the way around to the other side
6 on the right, and it goes front to back the full dimension of
7 the trunk. On the right side, anyway, the proximity to the
8 kidney and liver and so forth has got to be a matter of an inch,
9 maybe less. On the left side it would be a little farther away,
10 but if the liver were lacerated, and there were slides that
11 showed that it was, and there was hemorrhage in the fatty
12 tissues behind the organs then it has access to where the
13 adrenal glands are so that I don't know that you could impute
14 anything special about it because all of these structures are
15 basically within maybe a three-inch circle.

16 Q. Less than the size of a palm of an adult male's hand?

17 A. Right.

18 Q. All right. Now, Doctor, based on your review of all
19 the records and the reports and the statements and so forth, is
20 it your opinion that Kerianne Bradley was suffering from the
21 effects of a subdural hematoma prior to February 4th of 2006?

22 A. Yes, she was.

23 Q. And the basis for that opinion, sir?

24 MR. WALSH: Your Honor, going to object as asked and
25 answered, Your Honor.

26 THE COURT: Overruled.

27 You can answer that, Doctor.

28 THE WITNESS: Okay. Based first on -- and I like to

1 take it in the way that I have approached it -- the CT scan
2 clearly shows a subdural to be there. There's some time
3 connected with that.

4 Then the autopsy and slides clearly show some aging
5 that goes back well before hospitalization. And then we deal
6 with the clinical pathologic correlation that this child was
7 sick and was sick in a way that kids that have an evolving
8 subdural hematoma might behave. So we have, I think, multiple
9 data points that point the way to that conclusion and I think
10 we've discussed those in a number of ways.

11 Q. And would your opinion be the same insofar as that
12 preexisting subdural hematoma would have made her more
13 susceptible to intracranial pressure becoming out of bounds?

14 A. Yes.

15 Q. Through no fault of anybody's?

16 A. It could be that way.

17 Q. Thank you.

18 MR. BROWN: I have nothing else, Your Honor.

19 Thank you, everybody, for your patience.

20 THE COURT: Thank you, Mr. Brown.

21 Mr. Walsh?

22 MR. WALSH: Thank you, Your Honor.

23 CROSS-EXAMINATION

24 BY MR. WALSH:

25 Q. Good morning, Doctor.

26 A. Good morning.

27 Q. You and I had a chance to talk on the phone for a few
28 minutes yesterday while the airport rang in the background

1 making sure nobody takes your bags?

2 A. We managed to do it.

3 Q. I had some questions for you. I do have a number of
4 questions for you. I won't finish before lunch, so let me see
5 how far we can get.

6 What I want to ask you about a little bit is you said
7 you -- you had reviewed the medical records, the CT scans, the
8 slides, or the cutting that had been presented to you, as well
9 as some witness statements. Did I -- did you say all earlier?

10 A. Yes, sir; that is correct.

11 Q. Okay. And as far as witness statements go, did you
12 read police reports attributed to this case?

13 A. Yeah, I believe I have a list of what these things
14 were, but there were police interviews by several officers. I
15 cannot remember their names.

16 Q. Okay.

17 A. But there were --

18 Q. Okay. No quiz on names; don't worry.

19 A. Okay.

20 Q. The -- well, one of the things talked about kind of
21 near the beginning of your testimony is you talked about, and I
22 think it is echoed in your book, one of the important things to
23 do from a neuropathological perspective, first of all you kind
24 of need to get the best history you can about the child; is that
25 fair?

26 A. Right.

27 Q. Okay. I mean, I guess let's start from the beginning
28 here. I had a chance to review your resume. You published a

1 lot of articles. You testified on direct examination that
2 you've conducted 20,000 brain autopsies, right?

3 A. That's right.

4 Q. And of those only about 2,000 are children, correct?

5 A. Yeah. I don't know. I never counted. But it would
6 have to be something like that.

7 Q. Okay. And you've -- let's see. You're on the board of
8 directors of a company called Naurex, N-a-u-r-e-x; is that
9 right?

10 A. Uh-huh.

11 Q. Is that a "yes"?

12 A. Yes; I'm sorry.

13 Q. And that's a company that makes antidepressants; is
14 that fair to say?

15 A. It is a drug development company that deals -- has some
16 products that have to do with depression and post-traumatic
17 stress disorder and neuropathic pain.

18 Q. Okay. And also in reviewing your resume it looks like
19 and I think you answered this a little bit on direct
20 examination, did you I guess retire from the practice of
21 medicine in 2003?

22 A. No. I consider what I do every day as practicing
23 medicine, looking at microscopes, doing what I have over done my
24 whole life. I just am not doing that in a hospital setting
25 relating to live patients now.

26 Q. Okay. And that -- at least that part of your career
27 ended in 2003; is that right?

28 A. Yes. I think it was maybe -- I retired once, then went

1 back to the Children's Hospital, because they needed me, and I
2 was there for a couple years, and I think the last was 2005.

3 Q. You're right; thank you for correcting me. Right, 2003
4 to 2005 at the Children's Memorial Northwestern University
5 Medical Center?

6 A. Exactly.

7 Q. Okay. And so you spent some time talking to us on
8 direct examination about the significance of getting a history?

9 A. Yeah.

10 Q. And you talked to us about the -- you gave us some
11 description of your understanding of Kerianne Bradley's last
12 couple days?

13 A. That's right.

14 Q. And this morning you talked about the fact that a
15 couple days prior she had a fever, she was vomiting, people had
16 described her as being sleepy. Do you remember talking about
17 that this morning?

18 A. Correct.

19 Q. Okay. Now, would you agree with me that some of the
20 statements between witnesses who had access to Kerianne Bradley
21 during the last couple days of her life, would you agree there
22 were some conflicting statements among those witnesses?

23 A. Yeah, but I mean, there were different recollection
24 problems, some time problems, some descriptions. I think my own
25 view of looking at reports like that is you kind of have to surf
26 over the high spots because you can't, unless you interview
27 people yourself, probably can't find your way out of those
28 problems.

1 Q. Right; okay. And I guess that brings up another point,
2 and that is you didn't conduct any direct interview in this
3 case, right?

4 A. No.

5 Q. And you didn't -- actually, I know this is probably
6 obvious to almost everyone in this courtroom, but you never had
7 access to Kerianne yourself?

8 A. No.

9 Q. And when you talked this morning about the last couple
10 days of Kerianne Bradley, one of the things you mentioned was
11 the fact that on the afternoon of February 3rd, that is the day
12 before she went into arrest, there was some information that you
13 have received that Kerianne had simply lied down and gone to
14 sleep on concrete or something like that, right?

15 A. Yeah; correct.

16 Q. Okay. Now, that only came from one source, correct?
17 That came from the mother of Ryan Mickey, correct?

18 A. Yeah.

19 Q. Okay. And you were aware that in other statements that
20 she gave throughout the investigation, that topic was something
21 that only came up around about the time near the end of her
22 interviews or near the time she testified at preliminary
23 hearing, correct?

24 A. I'm aware that this wasn't necessarily a consistent
25 statement either elicited or volunteered. I don't know what the
26 story is.

27 Q. Okay.

28 A. Yeah.

1 Q. But would you agree that she was the only source of
2 that information?

3 A. Yeah; appears so.

4 Q. Okay. But you -- do you remember the police report
5 where Rosan Mickey that -- do you know who I'm referring to if I
6 say Rosan Mickey?

7 A. Yes.

8 Q. The defendant's mother? Mr. Mickey's mother?

9 A. Correct; yeah.

10 Q. That -- you are aware that in a police report she's
11 attributed to having said that on February 4th, the day that
12 Kerianne Bradley went into arrest, that she recalls Kerianne
13 Bradley taking and drinking a bit of milk from a bottle while at
14 her house that day?

15 A. I think so, yes.

16 Q. Okay. And that was after the car door was alleged to
17 have struck her, correct?

18 A. I know the feeding was offered and there was some
19 question how much, if any, milk she drank. I can't
20 independently tell you that. I know there's some ambivalence
21 there.

22 Q. It's unclear, correct?

23 A. Right. It's unclear.

24 Q. Get my notes in order for you here. The testimony you
25 gave, you compared Kerianne Bradley to kind of behaving like a
26 dish rag or irritable during the time she was dropped off at
27 Rosan Mickey's house on February 3rd; do you remember saying
28 that?

1 A. Yes.

2 Q. Okay. And that's -- is that a comment on how she was,
3 I guess, crying and acting annoyed when her mother left; is that
4 something you remember reading?

5 A. Yeah, I didn't get the sense that she was crying that
6 much, which is kind of bizarre in a way, because kids, when
7 they're feeling bad, they do that, but -- but that she was just
8 limp and not doing much, and would go to sleep like that.

9 Q. Okay. You remember reading testimony or information in
10 police reports from roommates of Jennifer Bradley and Ryan
11 Mickey that they heard the child, Kerianne, crying in the late
12 evening, early morning hours between February 3rd and
13 February 4th?

14 A. That's right, 2:00 o'clock in the morning or
15 something, as I remember. And I think they got up and tried to
16 give the kid a bottle or something, without success, apparently.
17 Somehow the child went back to sleep.

18 Q. Okay. But that's also an area of, somewhat, dispute.
19 There are conflicting statements on that as well; that is, at
20 some point someone says the child takes a bottle, another point
21 they say something different, right?

22 A. I can't speak to that. I just know I saw that
23 statement and I don't know how conflictual it was.

24 Q. Okay. And you recall that a couple of those roommates
25 in the house commented on hearing what was a normal cry; they
26 referred to it as a normal cry? You read that?

27 A. Boy, I don't remember any comments about that, no.

28 MR. BROWN: Objection; ambiguous, argumentative.

1 THE COURT: Going to sustain the objection. It's vague
2 as to time.

3 MR. WALSH: Okay.

4 Q. (By Mr. Walsh:) In reference to the negative
5 experience -- do you need more water?

6 A. No, I'm fine.

7 Q. Only a few milliliters left?

8 A. I'm good. When I go dry, I'll tell you.

9 Q. What I was referring to is the statements by the
10 roommates. There was one male roommate, one female roommate
11 that gave statements to the police in regards to hearing a cry
12 of a child, it was late evening, early morning hours from
13 February 3rd to 4th. Do you remember both those roommates
14 referring to that as being a normal cry?

15 A. I don't remember that.

16 Q. Okay.

17 A. No, I don't.

18 Q. All right. Do you remember commenting on your --
19 during you direct testimony about the temperature measuring 103;
20 do you remember talking about that?

21 A. Yes.

22 Q. Do you remember where that information came from?

23 A. Well, it's -- well, let's see, whether that is the
24 medical report -- of course that would be history information.
25 I think this must have come from one of the interviews or
26 transcripts somewhere.

27 Q. Okay; right. So this is -- you reviewed the records of
28 Dr. Hurwitz's office, right?

1 A. Yeah.

2 Q. Okay. And in that -- in that particular appointment or
3 that date of February 2nd, when Kerianne was seen by the nurse
4 practitioner, does that report reflect a temperature of 99.8,
5 correct?

6 A. I think that the child was mildly febrile at that
7 point, and it may have been in the records somebody said, "We
8 checked it, and it was a 103 last night," or something. I don't
9 remember where that came from.

10 Q. All right. And did you review or read an interview
11 attributed to Jennifer Bradley, the mother of the child, talking
12 about Kerianne Bradley eating while at the Carrows Restaurant on
13 the evening of February 3rd?

14 A. There were a number of interview things that involved
15 Jennifer. I'm just trying remember. I don't think I remember
16 that.

17 Q. Okay.

18 A. No.

19 Q. Do you remember anything about her talking about
20 feeding mashed potatoes to Kerianne Bradley and she didn't like
21 the gravy?

22 A. I think some solid food was attempted, but I don't know
23 how much actually went in. I had the sense that the child was
24 really not eating much at all.

25 Q. And so part of your opinion is based on the history
26 that depicts the child not eating over the last two days; is
27 that fair to say?

28 A. Certainly not eating much, in that food was offered but

1 it all seems to have been rather ineffective.

2 Q. Okay. Well, Mr. Brown asked you some questions
3 comparing AGE, or acute gastro enteritis, or stomach flu,
4 comparing that to some of the symptoms that you might see from
5 head injury; do you remember talking about that?

6 A. Yes.

7 Q. And some of the -- well, isn't it also a symptom of
8 that stomach flu sometimes the child will be unwilling to eat or
9 be uninterested in eating?

10 A. Sure; of course.

11 Q. Okay. Now, are you being paid for your testimony here
12 today?

13 A. Well, I'm paid for my -- I bill by the hour and I have
14 confidence and hope that I will be paid for my time. Being paid
15 for testimony, no, I don't do that. It's -- the facts come out
16 and it's strictly a time deal.

17 Q. All right. And I didn't realize that. I was just
18 asking if you were paid to be here. I'm not suggesting your
19 opinion is swayed by being paid.

20 A. No.

21 Q. The -- so is it -- is it fair to say that -- so you
22 gave us kind of a recitation of the facts of Kerianne's last two
23 days, and those were sleeping all the time -- and I'm
24 paraphrasing, please correct me if I'm paraphrasing wrong --
25 sleeping excessively, eating very little, irritable at times; is
26 that a pretty fair assessment?

27 A. That is.

28 Q. Okay. Now, that is somewhat -- there are contrary

1 reports to that throughout the information that you've reviewed,
2 correct?

3 MR. BROWN: Objection; argumentative.

4 THE COURT: Overruled.

5 You can answer that, Doctor.

6 THE WITNESS: Okay. I think there's a question of, you
7 know, was she out all the time, no; that's not my impression, is
8 that she would wake up at some level but then, given the first
9 opportunity, would seem to want to go back to sleep.

10 Now, what she was doing in between, smiling or, I mean,
11 talking about putting the kid in the playpen to play, I mean, I
12 don't know what the substance of that was. So clearly the kid
13 was not the same the whole time, had some episodes that would
14 approximate, I guess, normal behavior.

15 Q. (By Mr. Walsh:) Okay.

16 A. But how much and how long, I can't say.

17 Q. Okay. There are some limitations in the history that's
18 available in this case, aren't there?

19 A. Well, the history according to a number of different
20 individuals, and it varies a lot.

21 Q. Right. You mentioned seeing something about smiling.
22 Did you review the portion of the transcripts --

23 A. I don't remember who attributed that, but basically the
24 child smiled and then went back to business, so --

25 Q. Okay. Now, you referred to the vomiting, Kerianne
26 vomited during the last two days of her life. How many times
27 did she vomit?

28 A. I don't know; no idea.

1 Q. All right. And would it be -- do you recall reading
2 only that she vomited on Thursday? Or Wednesday?

3 A. My impression that this was over a couple of days that
4 there was episodes of vomiting, and then precisely how many
5 times, I don't think that was ever mentioned. I certainly
6 didn't get a checklist to keep track of that.

7 Q. Okay.

8 A. It wasn't just on one day, I don't think.

9 Q. All right. And you talked about -- you spent a good
10 deal of time this morning during your testimony referring to
11 your review of these slides of the subdural clotting; do you
12 remember talking about that this morning?

13 A. Yes.

14 Q. And you said these were -- all of these exhibits that
15 the defense showed you I think they started at OO and went
16 through MM, NN, OO, PP -- it's your testimony those came from
17 the same, I guess, cutting; is that right?

18 A. Let me -- yeah, it is. I would like to refer to my
19 notes on that.

20 Q. Sure.

21 A. Because I have indicated on which slide I took the
22 photographs.

23 Q. Okay.

24 A. And I don't want to misstate.

25 The -- just for orientation's sake, the -- the autopsy
26 slides have a label on it that say San Diego Medical Examiner.

27 Q. Okay.

28 A. And the name Bradley, and the case number and

1 Dr. Swalwell's name, and on each of these there is a handwritten
2 number identifying which block of tissue it came from. The
3 photographs that I took came from block number six.

4 Q. Okay.

5 A. And there are two pieces of dura on there. And it -- I
6 just want to be sure. That may be the only slide of dura, yes,
7 that was made.

8 Q. Okay.

9 A. So they all came from a single -- single slide and the
10 single cut and everything else.

11 Q. Single slide of dura?

12 A. That's correct.

13 Q. And you testified on direct examination you don't know
14 where from Kerianne's brain that came from?

15 A. I don't know which. It had to be where the sub-dura
16 was, which would be the right side. But where that was taken, I
17 don't know.

18 Q. Okay. Now, there was also a subdural to the back of
19 her brain, correct?

20 A. Yes. There was some in the posterior on along the falx
21 and from the tissue that I had it didn't look like those were
22 the ones that were sampled.

23 Q. Okay. But do you stand by your answer -- you have
24 ideas, and you have -- you think know where it came from, but is
25 it fair to say as you sit here today you don't know where from
26 the brain?

27 A. Physically, topographically, I don't know.

28 Q. And did I understand your testimony correctly during

1 direct examination that with -- for example, and I don't know
2 which exhibit this is right now -- okay. This is MM. And I'm
3 putting it back up there.

4 And I don't know if the color is the same, but you
5 described for us kind of this bottom portion here that the lower
6 solid portion you said that these types of dark areas of all red
7 brick coloring, you say those are the ones that are newer than
8 two days?

9 A. Correct.

10 Q. And the ones that are changing in color, I guess north
11 of those on this diagram?

12 A. Yeah.

13 Q. When they change in color, they are three to five days
14 old, but they can be older; is that what you said?

15 A. Well, I think this is the beginning of the change, so
16 they are older than two days, two to four days, something like
17 that.

18 Q. Okay.

19 A. And then there are red blood cells that are clearly
20 older. They continue this deterioration process, but in this
21 one slide I would say the time frame was a little closer.

22 Q. Okay. And so you talked with Mr. Brown about the
23 basis -- and I think he covered just a couple times with you the
24 basis for your conclusions are the fact that you took into
25 consideration the CT scan?

26 A. Yes.

27 Q. Which demonstrates the pressure?

28 A. Yes.

1 Q. The slides, that is the coloring of the red -- the
2 blood pigment; is that correct?

3 A. Correct.

4 Q. And then the history that you received?

5 A. Yeah.

6 Q. And those are the things that you used to base -- those
7 are the -- kind of the three big areas that your conclusions are
8 based on?

9 A. That's right.

10 Q. Okay. Now, you also talked about -- and I apologize.
11 I'm kind of jumping around a bit, trying to manage my time here.
12 You discussed -- you showed us a chart here near the end of your
13 testimony, S -- is it SS?

14 A. Uh-huh.

15 Q. And you were discussing the fact that the -- in this
16 study that was done, albeit in kind of a cruel manner, I guess,
17 in this study doctors noticed during this study that the
18 increased smaller or the same amount of increased intracranial
19 pressure will have a greater effect on smaller children than
20 older kids; is that fair to say?

21 A. Yeah, the -- a two milliliter additional, quote,
22 cerebral spinal fluid in a baby will result in a much bigger
23 rising in incipient pressure than in an adult.

24 Q. Okay. And it looks like it increased sort of
25 exponentially?

26 A. It's pretty impressive, yeah.

27 Q. Your chart ends at six. Is that because a plus six,
28 they didn't go past that; is that kind of what that study shows?

1 A. 60 is, I mean, that's almost fatal. That's why -- I
2 don't know how they -- or why they managed to go that way, but
3 somebody with intracranial pressure of 60 probably isn't coming
4 back.

5 Q. I'm referring to here on the bottom as far as the
6 volume of milliliters.

7 A. Six milliliters; right.

8 Q. And that's where their study stops; I assume that's
9 means they didn't --

10 A. Simply they couldn't do it anymore, I guess. I don't
11 know.

12 Q. Okay. So fair to say, then, with a smaller child, a
13 small increase, even a small increase of -- I'm going to call it
14 CSF fluid?

15 A. That's good.

16 Q. Small increase of CSF fluid can have a greater impact
17 on a child than someone larger?

18 A. That's right.

19 Q. Might the child then also suffer the effects more
20 rapidly than an older person?

21 A. Yeah. I think again, hate to be a broken record, but
22 pressure volume, when you have the pressure, then there are
23 effects from that. And whatever symptoms they are,
24 irritability, lethargy, whatever, would be -- would be visible.

25 Q. Okay. Did you have any ability within the records of
26 what you reviewed in this case, do you have any idea how much
27 extra or how much -- how that pressure was on Kerianne Bradley's
28 head?

1 A. I don't think -- it was never measured. I mean, it can
2 be measured, and frequently is, will put a sensor in there.

3 The effects are that she does have increased
4 intracranial pressure. It's inescapable. How high it came, it
5 must have gotten high enough that arterial pressure was not
6 making headway against it and her brain basically didn't get
7 much blood supply in the last hours anyway. So -- but how high
8 it went, I don't know.

9 Q. Okay. But from this chart and this study, it would
10 follow that the greater -- the increase of that, that is the
11 greater increased volume of CSF in her head would result in an,
12 I guess, more sick and, again, faster -- I'm going use the word
13 arrest; is that fair to say?

14 A. Yeah; that's true. And it would be CSF or any
15 volumetric component. It could be the subdural, could be brain
16 swelling, could be all those things.

17 Q. Right. And I know I didn't go -- this is a QQ -- I
18 didn't go to law school, for math. But I think -- I really
19 didn't. But I think I understand.

20 So there's a set number of -- let's just say for fun,
21 I'm going to use the number six, okay. And I -- it means
22 nothing right here, but just for the sake of my example.

23 So let's say volume is always going to be 16. And so
24 let's say each of those others -- well, let's say each of the
25 others are -- well, that's really bad. Going to use 12 now.
26 Going to change it.

27 I'm sorry. See, this is why I didn't go to law school,
28 for math.

1 Let's say volume is 12, 12 is the total. 12 is the
2 volume within the brain, or within the head; is that -- are you
3 with me?

4 A. Well, it would be -- I don't know, the cerebral spinal
5 fluid, or --

6 Q. The total volume, here in the upper right.

7 A. Well, the total volume is going to be -- the extreme
8 way we could -- I don't remember what it turned out to be, but I
9 have it.

10 Q. I know it's not going to be 12; that's just the number
11 I picked.

12 A. I think -- that will give us some -- about a thousand.
13 A little over a thousand grams. So let's say the total
14 components inside is a little more than a liter. A thousand
15 milliliters or a little more.

16 Q. Okay.

17 A. So that's -- that's the total.

18 Q. So the total -- in this equation, let's use 1,000
19 instead of 12, so typically it should be maybe 333 of those
20 other three, and then once a subdural comes and interferes,
21 that's what throws off those other numbers; is that basically
22 what that equation means?

23 A. The volume -- the normal cerebral spinal volume is
24 probably, a kid like this, I'd say 50 milliliters. So that's a
25 small percentage of the total volume that. I mean, I have no
26 idea how much blood is sitting in the vessels, so -- but you've
27 got that component, and you have no subdural, then that's
28 normal.

1 Q. Okay.

2 A. So if you have a subdural that's 50 milliliters, then
3 you've used up pretty much all of your cerebral spinal fluid.
4 You still are at a liter, whichever -- which is okay, but once
5 something increases a little bit beyond that, then the pressure
6 goes haywire.

7 Q. I think what you did, you told me I did it -- kind
8 of understand. You won't let me use my pretend numbers. I want
9 to use 12. I like 12.

10 Okay. You also spent some time talking to Mr. Brown
11 about whether or not Kerianne had any -- well, you talked to him
12 about coagulopathic?

13 A. Yeah.

14 Q. Okay. I can't -- I can't remember all the endings to
15 that word, but I think when I talked to you on the phone
16 yesterday you indicated there's -- you really -- at the point in
17 time you have no idea she was coagulopathic when she first came
18 to Rancho Springs, correct?

19 A. No, because we don't -- the first lab reports, I don't
20 think they did them at Rancho Springs. They did a coagulation
21 study at San Diego.

22 Q. Okay.

23 A. And those were mildly abnormal and got worse.

24 Q. Right, which is consistent with someone who suffers a
25 head injury that results in this kind of hematoma?

26 A. The time frame connection to coagulopathy is loose at
27 best, but -- and I don't think that helps us a lot with trying
28 to add some more timing points here. Whether she is

1 coagulopathic at the time when she was seen at Rancho Springs,
2 who knows? She could have been, I just don't know.

3 Q. Okay. Now, what we have isn't another indicator of
4 someone being coagulopathic is when other areas of their body
5 are touched or, for example interosseous line is inserted into a
6 part of their body, like a leg, wouldn't that then, versus
7 coagulopathy, wouldn't that result in bruising or some --

8 A. Well, it certainly can. And if -- you know, there's
9 all kinds of degrees of coagulopathy, so if -- I certainly have
10 seen that and talked about it all the time where blood will ooze
11 around IV lines and any place where a needle is that blood will
12 ooze out. And that can certainly happen, but how often that is,
13 I don't know. Maybe about half the time, I've encountered that.

14 Q. Okay. But that's an indicator, right?

15 A. It can be. I mean, if you see it there, it is. It
16 tells you pretty much what's going on.

17 Q. And do you remember in your review of Dr. Swalwell's
18 autopsy report that he indicated that there were not areas of
19 increased bruising around where the interosseous lines were
20 inserted?

21 A. No comments were made in that record or the clinical
22 records that there was oozing around the I.V. sites.

23 Q. Okay. You make a comment in your book about bruising
24 and how the dating of bruising is something that's, I guess, an
25 area of controversy in the medical field; that is, some people
26 want to try to do it, others say we just can't do it?

27 A. Yeah; that's fair.

28 Q. Okay. But do you say in your book that really the only

1 kind of hard and fast rule that really anyone can agree on is
2 the fact that a bruise after 18 hours will begin to yellow; is
3 that in your book?

4 A. Yeah; that's usually the case. And probably from the
5 outside you would have some confidence about that. You've got
6 yellow, you can say, well, it's got to be somewhere in here.

7 Q. Okay.

8 A. But -- not very specific.

9 Q. Okay. And as far as the other colors, as far as you
10 know, purple, blue, black, those are hard to time; is that fair
11 to say?

12 A. Those are -- can be very difficult to be accurate
13 about.

14 Q. But it's fair to say that those are more like -- those
15 are -- to be more recent than 18 hours?

16 A. Yeah. I mean, they're more likely to be recent than
17 bruises that are yellow, although, oddly enough, there have been
18 some embarrassing studies about that.

19 Q. Okay. I want to talk to you a little bit about your
20 book. You -- I think before I go into that, it's probably a
21 good time to break, Your Honor. I think it's 11:57.

22 THE COURT: All right. At this time we'll take our
23 noon recess we'll reconvene at 1:30.

24 Remember the admonition: Please keep an open mind.
25 Don't draw any conclusions about the case. Please don't talk to
26 anyone about the case.

27 Doctor, we'll see you back on the stand at 1:30, sir.

28 THE WITNESS: Very well.

1 (OUTSIDE JURY PRESENCE:)

2 THE COURT: Okay. The record should reflect that the
3 jury has left the courtroom.

4 Mr. Brown, what's your time estimate for your
5 witnesses? Because let me tell you why. As you know, we are
6 dark Monday, we're dark Wednesday. And so I think, because of
7 that, we may just go dark on Tuesday. And this is so -- Monday,
8 Tuesday, Wednesday, come back on Thursday, and that still gives
9 us the entire next week before January 28th.

10 Mr. Walsh?

11 MR. WALSH: That would be fine. I -- we were
12 discussing that before you came out. And I don't know where
13 we'll be as far as evidence goes. I prefer not to close on the
14 21st and send them immediately on a three-day weekend. So if it
15 works the way I'm thinking, I would like to think about closing
16 on the 25th.

17 THE COURT: All right. I just wanted to let you know
18 that's my tentative plan. That's what I'm going to do. I'll
19 tell them when we get back in, so -- you're going to have Monday
20 and Tuesday and Wednesday, we'll come back on Thursday the 21st
21 next week, then we'll have the entire next week.

22 MR. BROWN: Um --

23 THE COURT: Of January.

24 MR. BROWN: That's fine.

25 You know, Judge, I was talking to Mr. Walsh about this
26 earlier. I don't have -- we've taken witnesses out of order to
27 try to speed things along. The only thing that's keeping me
28 from resting very quickly is that -- the schedule of these

1 doctors.

2 I don't have a lot that I need to go forward on this,
3 on these issues. And I really anticipated right now maybe no
4 witnesses tomorrow. I've got to make a call this afternoon, a
5 couple witnesses on Wednesday, and then we're going to be done
6 for the defense side. So -- we had talked about --

7 THE COURT: So no witnesses tomorrow, then you have
8 some witnesses on Wednesday? And then you rest on Wednesday?

9 MR. BROWN: Probably. But I can't commit to no
10 witnesses tomorrow. I've got to make a call and then I can
11 figure that out.

12 We had talked about your preference on deliberations on
13 a Friday. I don't know if you allow that, or want that to --

14 THE COURT: I do. But I leave that up to the jury.

15 My deputy will tell them, if it gets to that point,
16 it's up to you. If you want to deliberate, then they
17 communicate with him and say, you know what, we are, or we're
18 not coming in on a Friday. But we leave that to them. Once
19 they have the case, it's their schedule.

20 MR. BROWN: Well, here is my -- as clear as I can make
21 a thought right now, sir, is that I think it would be pushing
22 folks, regardless of whether I rested on Wednesday or not, to do
23 closing on Thursday.

24 THE COURT: You mean this Thursday.

25 MR. BROWN: Yes, sir. I think it would be pushing it.

26 THE COURT: Yeah wrong that's going to happen.

27 MR. BROWN: We still have jury instructions, some
28 things to clear up, exhibits and so forth.

1 MR. WALSH: Rebuttal.

2 MR. BROWN: Who knows if he has rebuttal, I don't know.
3 But I -- I would like to -- so that would mean we're -- we would
4 potentially be dark -- well, absolutely dark this Friday -- and
5 then we come back --

6 THE COURT: Next Thursday.

7 MR. BROWN: So just take Monday, Tuesday, Wednesday
8 off, and then do the closing on next Thursday, and then have the
9 jury do their thing?

10 THE COURT: Probably what we would do, listening to
11 you, is do the -- we would go through the instructions on
12 Thursday the 21st?

13 MR. BROWN: And then argue on --

14 THE COURT: And then argue on the 25th. And then they
15 would get the case the 25th or the 26th.

16 So it's conceivable that they're not going to be here
17 at all next week, depending on how we are this week.

18 But you will not be closing this week. You don't have
19 to worry about that.

20 MR. BROWN: All right.

21 THE COURT: Best that we could do would be to start
22 instructions on Thursday. You know, I'm even thinking even if
23 we finish it, if -- Mr. Walsh, your preference is you just want
24 to do the closings on that Monday?

25 MR. WALSH: Yeah, it would make sense because then they
26 can deliberate for several straight days if they want.

27 THE COURT: Then we may just go dark and you would have
28 Thursday to -- actually all next week to prepare for your

1 closing argument.

2 MR. BROWN: So we're planning on closing on the 21st
3 and --

4 THE COURT: No, closing on the 25th.

5 MR. BROWN: I'm sorry; I apologize. And then -- okay.
6 You know, I can live with that.

7 There's -- the only thing that concerns me is the
8 amount of down time, you know, between the time the parties rest
9 and getting it, but -- there's really not much we can do with
10 the holiday, and then the state --

11 THE COURT: We're ahead of schedule. I told them the
12 28th. And that's what matters to me most, so --

13 MR. BROWN: Okay then.

14 THE COURT: But we'll see where we're at in terms of
15 your witnesses.

16 MR. BROWN: Understanding that it's going to be kind of
17 loose tomorrow.

18 THE COURT: That's fine.

19 MR. BROWN: Okay.

20 THE COURT: Yeah, I'll just -- I will explain to the
21 jury like I have before, you know, look, there are issues with
22 some of these individuals when they can testify and this is when
23 they come in.

24 MR. BROWN: Okay; thank you.

25 THE COURT: All right. We'll see you at 1:30.

26 (NOON RECESS.)

27 THE COURT: All right. Let's go back on the record in
28 SWF-015286. All parties are present before the Court. We're in

1 the presence of the jury.

2 Good afternoon, everyone.

3 JURORS AND COUNSEL (Collectively): "Good afternoon."

4 THE COURT: And, Doctor, do you understand you remain
5 under oath, sir?

6 THE WITNESS: You bet; yes.

7 THE COURT: All right. Mr. Walsh?

8 MR. WALSH: Thank you, Your Honor.

9 Q. (By Mr. Walsh:) Good afternoon, Doctor.

10 A. Hi.

11 Q. So we left off, and I had asked you a few questions.
12 Going to ask you a few more. Let me talk to you a little bit
13 about your book for a minute. The same one Mr. Brown asked?

14 A. Yes.

15 Q. Most recent? 2009, right?

16 A. That's right.

17 Q. Okay. Sorry, I have to get myself together here.

18 Now, you -- just to make sure, in your testimony this
19 morning you talked about some of these blood indicators
20 demonstrated to you that -- some evidence of healing injury that
21 occurred three to five days prior?

22 A. That's correct.

23 Q. And within the history that you received in your
24 preparation for testimony and trial, and your evaluation of the
25 physical evidence you had, you had received no history of a head
26 injury that occurred three to five days prior, correct?

27 A. No, I have not.

28 Q. And the -- it would have -- the type of subdural

1 hemorrhage that potentially, in your opinion, that may have been
2 aggravated by the -- I think you said leading candidate was the
3 car door?

4 A. Right.

5 Q. The hematoma itself -- or the hemorrhaging itself, I
6 apologize -- but the hemorrhage itself would have to be at least
7 a significant one to be re-aggravated in the way that it was,
8 correct?

9 A. Something caused it. It's just that we -- or I have no
10 historical information to say what that might have been.

11 Q. But, in any event, it's your testimony that there's
12 indicators that there was a hemorrhage occurring several days
13 prior to death?

14 A. Correct.

15 Q. It was re-aggravated, or re-bled?

16 A. Right.

17 Q. Via a possible secondary cause, possibly the car door,
18 that resulted in a pressure change, that eventually caused the
19 arrest and death of this child?

20 A. Yes.

21 Q. Okay. So, in any event, this hemorrhaging, the
22 hemorrhage that ultimately, whether it was the first, the
23 second, or a combination of the two, this head injury is what
24 killed Kerianne Bradley, correct?

25 MR. BROWN: Objection; legal conclusion, speculation.

26 THE COURT: Overruled.

27 Doctor, you can answer that, if you have an opinion.

28 THE WITNESS: Well, if you -- it depends on how you --

1 there 's a train of events that leads to this unfortunate
2 outcome. And if you have to pick a point where this journey
3 began, yes. There -- you could say that whatever this event
4 was, unspecified, unknown at this point, got this child, or at
5 least contributed to where this child ended up, yes.

6 Q. (By Mr. Walsh:) Okay. But -- I guess I said that
7 wrongly. I meant to say it was the head injury that caused the
8 arrest that eventually caused the death; is that a better way of
9 saying it?

10 A. Either way, the result is the same. This child
11 arrested and decompensated and that can be the end of the road
12 for many people who have a subdural hematoma, however they got
13 it.

14 MR. BROWN: Going to interpose an objection; assumes
15 facts not in evidence.

16 THE COURT: Overruled. The answer stands.

17 Next question.

18 MR. WALSH: Thank you.


19 Q. (By Mr. Walsh:) Now, some of the charts that we looked
20 at today in court actually come from your book, don't they?

21 A. Yeah. They've been duplicated. They might be slightly
22 different in the exhibits, but the contents is the same.

23 Q. All right. And, now, within your book there's a
24 section right around page 466 where you have some discussion of
25 the pathology of an acute and subacute subdural hematoma?

26 A. Yes.

27 Q. And, in fact, you have some pictures in your book of
28 similar slides that we have been looking at in court here today,



1 correct?

2 A. Yes, analogous ones, but of different ages, and so
3 forth.

4 Q. Right. Kind of standardized, correct?

5 A. Yes.

6 Q. Now, in your book you've told us today that one of the
7 things that you noted that was, I guess, kind of abnormal, or
8 one of the things that you noted as being significant in your
9 review is the fact that there are different colored or different
10 stages of healing red blood cells within the slides that you
11 looked at, correct?

12 A. Yes.

13 Q. Okay. And you told us that it wasn't -- I think the
14 word you used, this wasn't a one-shot deal, right?

15 A. Yeah, I used those words.

16 Q. All right. Now, in your book, isn't it true that you
17 kind of give a discussion or make a statement that it's not
18 uncommon to find different colors or different stages of red
19 blood cells in and around a subdural hematoma?

20 A. Absolutely true.

21 Q. Okay.

22 A. Yes.

23 Q. And that this bleeding need not imply repeat trauma but
24 is an inherent part of the character of this lesion?

25 A. Yes.

26 Q. Okay. So, in other words, you can have a one-shot
27 subdural hematoma --

28 A. Right.

1 Q. -- that may have different colors or different stages
2 of healing red blood cells, correct?

3 A. Yes. This is like an earthquake. You have the tremor,
4 and then there's aftershocks and things that come that don't
5 necessarily mean that there's been another injury or anything
6 else that has gone on.

7 Q. Okay. So in your book it says that a subdural
8 hematoma, when viewed, can have these different colors and
9 different stages within it?

10 A. That's true.

11 Q. Okay. And that doesn't necessarily mean that there's
12 more than one incident to blame for this head injury?

13 A. That's also true, yes.

14 Q. Okay. Now, I was really -- I'm sorry -- I was really
15 proud of myself that I understood some of the words in here.
16 It's heavy duty. And I -- when I got it at the library, it was
17 like, "What am I going to do with that." But -- well, you know.

18 Did you also state in your book at some point, it's
19 around page 269, that hemorrhages can be a part of the birthing
20 process?

21 A. Can be a part of what?

22 Q. The birthing process?

23 A. Oh, yes; oh, yes, certainly true.

24 Q. And is it possible for a child to sustain some sort of
25 subdural hematoma during the birthing process that can stay with
26 them for some time in the early part of their life?

27 A. That is true.

28 Q. Retinal hemorrhages can also occur during birthing,

1 correct?

2 A. Oh, yes.

3 Q. And basically the chart that you showed us this morning
4 with the retinal hemorrhaging -- I should probably put it up
5 there, instead of just talking about it. This is Defense -- is
6 it U or UU?

7 MR. BROWN: That is UU.

8 MR. WALSH: UU. I'm not just stuttering. UU.

9 THE WITNESS: Yes.

10 Q. (By Mr. Walsh:) We talked about this a little bit.
11 And basically, if I understand your testimony correctly, it's
12 your testimony that an increase of pressure in the brain, or in
13 the head?

14 A. Yes.

15 Q. Can result in retinal hemorrhaging?

16 A. Yes.

17 Q. And retinal hemorrhaging was present in Kerianne
18 Bradley?

19 A. Yes; certainly was.

20 Q. Okay. And, now, is there any difference between -- I
21 think that Dr. Swalwell in his autopsy report indicated that
22 there was both retinal hemorrhaging and hemorrhaging in the
23 optic nerve. Am I saying that correctly?

24 A. I believe that's correct; yes.

25 Q. Is there a difference, or is that significant, the
26 difference in where it's located?

27 A. It probably isn't significant because in this work the
28 hemorrhages kind of curve along the optic nerve shoot as well as

1 in the retina and the blood drainage, venous drainage is
2 impeded. It affects the optic nerve and its sheath as well. So
3 it's very common to see them both at the same time.

4 Q. So the fact that Kerianne Bradley had retinal
5 hemorrhaging occurring both in her retina and in the optic nerve
6 is consistent with the fact that she had an increase of pressure
7 in her head?

8 A. I don't think it changes anything, yes.

9 Q. Okay. Now, you -- I think when Mr. Brown asked you a
10 question about the significance of retinal hemorrhaging, I
11 remember -- I think you kind of gave a kind of a fuller answer
12 than you did on some other things. Now, the topic of retinal
13 hemorrhaging is significant in your field, correct?

14 A. Yes.

15 Q. Or it's an area of some debate in your field, correct?

16 A. Oh, certainly there are differences of opinion and
17 belief systems that surround that.

18 Q. And you've been specifically involved in some of the
19 debate about whether or not retinal hemorrhaging and how it
20 relates to shaken baby syndrome, correct?

21 A. Yes.

22 Q. And in fact you've authored articles about the
23 correlations or sometimes lack thereof of retinal hemorrhaging
24 and shaken baby syndrome, correct?

25 A. I have.

26 Q. And you've testified in court before in cases, even in
27 California, that retinal hemorrhaging does not indicate abuse
28 when it comes to shaken baby syndrome.

1 A. I have.

2 Q. Okay. So as far as we're talking about retinal
3 hemorrhaging in this case, it's only significant in that it
4 relates to the increased head pressure, correct?

5 A. That's how I interpreted it, yes.

6 Q. Now, a little bit more about your book. Now, this
7 is -- is this what they call a learned treatise? Or -- what do
8 we call that?

9 A. I've got to consult my lawyer. I don't know what that
10 means. I think it probably does, would fall under that.

11 Q. Okay. And this is sort of -- kind of to be a guide for
12 forensic pathologists in their interactions with the legal
13 system?

14 A. For the most part, that would be the target audience,
15 and then of course other people. And you have a book, and
16 attorneys clearly have some interest in what's in there. It's
17 not a textbook that I would say the average medical student
18 would know anything about.

19 Q. Okay.

20 A. So --

21 Q. So when a medical student such as yourself a few years
22 ago decided you wanted to go into neuropathology, they would
23 consult a different reference than this, correct?

24 A. There would have been one. There was one under the
25 same title published by Dr. Courville, from Los Angeles, Loma
26 Linda, and -- in the '50s, and it went out of print.

27 Q. Okay. But as far as --

28 A. There would have been one available for me to look at.

1 Q. Understood. But as far as a med student going into
2 neuropathology, this is not specifically a neuropathology
3 textbook, this is kind of, again, an assistance between
4 neuropathologists and the legal system?

5 A. That's true though increasingly neuropathologists are
6 finding themselves doing forensic work, and many of them would
7 apply that and have bought that book and others.

8 Q. Okay. In fact, the book includes kind of a chapter
9 near the beginning in describing for the forensic pathologist
10 what might be expected of them when they come to court?

11 A. Yeah, I think the forensic pathologist would know those
12 things certainly pretty quickly. That chapter has to do more
13 with neuropathologists who might be more comfortable in a
14 hospital setting that might be drawn into a legal case and
15 here's kind of what goes on.

16 Q. Okay.

17 A. And that's what that chapter is for.

18 Q. Right. Well, it begins on page 11 in your book you
19 discuss that if a forensic pathologist is going to come in and
20 testify, or I guess answer questions about the autopsy that was
21 done prior to them -- such as an autopsy done by a medical
22 examiner, it's your suggestion in your book that you speak with
23 the medical examiner before coming in and offering an opinion,
24 whether different or the same, correct?

25 A. It would -- it would depend what the context was. You
26 know, what the situation might be and would it be appropriate to
27 do that. If it doesn't conflict in some ethical or slight way
28 with the legal proceeding.

1 Q. Did you contact Dr. Swalwell --

2 A. No, I did not.

3 Q. -- before coming in and testifying?

4 A. No.

5 Q. Okay. And just for the sake of the court reporter, I
6 notice we were doing great this morning. I know you might know
7 what I'm going to ask, but if you can just wait until I finish
8 my question before you answer.

9 A. I'm sorry.

10 Q. It will make things go a lot smoother. I'm trying to
11 go as fast as I can, can't you tell?

12 Now, basically the section, like pages 11 through 25 of
13 your book discuss what is to be expected of an expert witness
14 and what an expert witness might expect to experience when they
15 come to court, correct?

16 A. Yes.

17 Q. Suggests show up on time, present yourself
18 well-groomed, correct?

19 A. Yes.

20 Q. And there's some discussion about what will happen, how
21 cross-examination might go, correct?

22 A. That's right.

23 Q. Okay. There's a section about the fact that an
24 attorney may cross-examine you and confront you with other
25 documents that are contradictory?

26 A. Certainly.

27 Q. Okay. Or other books -- works that are considered to
28 be authoritative?

1 A. That's right.

2 Q. And it's your job as the witness to be prepared to
3 explain this controversy, correct?

4 A. Yes.

5 Q. And you suggest in your book that this can be done by
6 pointing out that any textbook can be somewhat out of date,
7 calling for delays in writing, publication, correct?

8 A. Yes, the argument about -- or the issue of
9 authoritative, which I take to mean pure, unvarnished truth,
10 without any mistakes and so forth. So there's probably no other
11 document that would meet that criterion, and that's what I'm
12 pointing out.

13 Q. All right. Are you okay on water?

14 A. Including my own book.

15 Q. I understood. You cite yourself in your book a few
16 times, do you not?

17 A. Yes.

18 Q. Your own studies, at least?

19 A. Right.

20 Q. Okay. Page 23 of your book, there's a discussion --
21 and I'm paraphrasing here. Please correct me if I paraphrase it
22 wrong -- that the difference between I guess the legal system
23 and the medical profession is that in the medical profession
24 physicians might be confronted with I guess an injury or a group
25 of symptoms that can be open to different interpretations,
26 correct?

27 A. Yes; correct.

28 Q. And sometimes there will be disagreements and the

1 answer will be unclear, correct?

2 A. Right.

3 Q. And you make a discussion about the fact that in court
4 things are a little different in that people kind of want
5 answers; is that true?

6 A. Obviously I mean answers that are highly specific,
7 sometimes more than can be provided. And that's kind of the
8 context.

9 Q. Okay. And I want to make sure I get the words right.
10 And it's -- you make the suggestion that experts should answer
11 when kind of giving their opinion about the likelihood of
12 certain explanations, to use terms like more likely than not, or
13 to a reasonable degree of medical certainty, correct?

14 A. Yes.

15 Q. And on page 24 you kind of have a discussion of the
16 fact that experts will come in and they'll be asked questions
17 about the fees they're receiving when they come into court,
18 correct?

19 A. Of course.

20 Q. And you give a suggestion on how to answer and that is
21 to cite to the fact you're being paid for your time, correct?

22 A. That's correct.

23 Q. Now, you have testified a number of times, I think you
24 told Mr. Brown somewhere around 150 times, as an expert witness?

25 A. That's probably true.

26 Q. Okay. Has that been exclusively on the topic of
27 neuropathology?

28 A. No. Usually it is, but occasionally the elements of a

1 case will center on heart or lungs or something where I'm
2 perfectly qualified to make opinions about and have done that.
3 But the majority of them surround the nervous system and head
4 problems, brain problems.

5 Q. You testified in 2007 in the County of Los Angeles in
6 the Phil Spector murder trial, did you not?

7 A. Yes, I did.

8 Q. Okay. And in that trial you were there to opine about
9 what might have happened as a result of the gunshot wound,
10 correct?

11 A. That's right.

12 Q. And you testified in some degree about the
13 probabilities that might come from when a person severs the
14 spinal cord during a gunshot wound, what might happen with the
15 rest of their body afterwards?

16 A. That's correct.

17 Q. And one of the bases for your opinion in that case was
18 citing to observations done during the French revolution, the
19 beheadings and activity afterwards?

20 A. You find information in kind of strange places, but
21 yes.

22 Q. Okay. One of the first -- well, you can correct me if
23 I'm wrong, but one of the first notable cases of yours in which
24 you testified was 1997 in New England in the case of the -- I
25 guess the English nanny, correct?

26 A. Correct.

27 Q. And in that case she was accused of shaking a baby to
28 death, correct?

1 A. That is -- that was part of the theory of the
2 prosecution, yes.

3 Q. Okay. And you came in and testified int that trial and
4 cited to slides of blood clots in order to show preexisting
5 injuries on that child, correct?

6 A. Yes. It centered about some of the same issues that
7 are here, it's very common, what's in the dura and can you age
8 and date them. And there were a lot of controversy surrounding
9 that, and a lot of difficulties.

10 Q. Okay. In fact, I mean, this whole -- this whole area
11 is difficult, is it not?

12 A. Excuse me?

13 Q. This whole area is difficult, is it not, for a
14 neuropathologist to actually look at a deceased person and be
15 able to come to, I guess I'll use the word concrete conclusions,
16 as to what caused their injuries?

17 A. Sometimes it's like falling off a log, it's very easy,
18 the material that has been collected is adequate, prepared well,
19 and there's just no argument about that.

20 Many times the quality of the preparations, that is the
21 slide, may be not so good, the staining may be bad, the
22 selection may be bad, so you're trying to -- trying to put
23 things together that have noise in them, and in those cases it
24 can be extremely difficult.

25 Q. Okay. I spoke to you a little bit about you are fairly
26 involved in the debate over retinal hemorrhaging and shaken baby
27 syndrome?

28 A. Yes.

1 Q. You testified in 2002 in San Diego in the Diaz case
2 about a -- the fact that the -- and, again, I'm summarizing
3 here, and correct me if I'm wrong, but --

4 A. I'm trying to recall the case, but -- I've been to
5 San Diego a number of times. And Diaz, you said?

6 Q. Yes.

7 A. D-i-a-z?

8 Q. A four-month-old, shaken baby allegation. And I
9 believe you came in and testified about the fact that the
10 retinal hemorrhaging was not indicative or it was not your
11 conclusion that that was a direct conclusion?

12 A. Okay.

13 Q. There was a -- that that was shaken baby syndrome,
14 correct?

15 A. Right.

16 Q. In the Thomas case in -- that was this year, was it
17 not?

18 A. Which one?

19 Q. The Thomas case in New York, is that this year?

20 A. Yes.

21 Q. Okay.

22 A. Yeah, that was just not very long ago, a couple months
23 ago.

24 Q. In the fall, correct?

25 A. Yeah.

26 Q. And in that case, the defendant was accused of blunt
27 force trauma, killing a child, correct?

28 A. As I recall, yes.

1 Q. And you came in that case and you testified, generally
2 speaking, that there was an old blood infection, it was your
3 opinion?

4 A. Yes.

5 Q. That created the death?

6 A. Right.

7 Q. Okay. Now, the Mike Peterson trial in North Carolina,
8 2003, did you testify in that?

9 A. I did.

10 Q. And that was an incident in which the government
11 accused the husband of blunt force trauma on the wife in
12 particular, correct?

13 A. Basically accused him of beating her to death.

14 Q. And you came in in that trial and opined that the
15 injuries on her head were consistent with a fall down the
16 stairwell?

17 A. Yeah, and this is a complicated case, but the sum and
18 the substance of it is that a beating probably did not occur,
19 and the injuries, as weird as they were, could be explained by
20 multiple falls backwards down a staircase.

21 Q. And did you testify in the Waddle [phonetic] case in
22 2000?

23 A. Colorado Springs?

24 Q. Yes, sir.

25 A. Okay. I think I testified twice there.

26 Q. Okay.

27 A. Maybe. I don't know.

28 Q. And that was a case in which there was an allegation by

1 the government of blunt force trauma causing injuries, and you
2 came in and testified as to a decreased head injury based on
3 slides again?

4 A. Those were the facts.

5 Q. Now, going back to your book, a little bit. The pages
6 are long, so I'm trying to make sure I get the words right.

7 A. If I need to refer --

8 Q. Please let me know if there's anything in here that you
9 need to look at.

10 Now -- and you -- let's see. This is page 563. It's a
11 chapter discussing child abuse and neuropathology perspectives
12 of child abuse. You're familiar with this chapter?

13 A. Yes.

14 Q. And you begin a section on child -- pathology of child
15 abuse?

16 A. Correct.

17 Q. And in that section you discussed that almost every
18 alleged child abuse case, especially involving young infants,
19 include the possibility of some preexisting brain injury or
20 other condition; do you remember stating that?

21 A. Yes; that's true.

22 Q. Possibly emanating from birth or the possibility of
23 inherited acquired disorders of bleeding or coagulation, some
24 other process?

25 A. That's right.

26 Q. Okay. So kind of the beginning of your chapter talks
27 about the fact that it's always possible something may have
28 happened prior to the incident that brings the child to the

1 hospital, correct?

2 A. That the situation isn't what it seems. It may be
3 something else.

4 Q. Okay. On page 565 you emphasize the importance of
5 getting a complete history from the parties that were around the
6 child, correct?

7 A. Right.

8 Q. And it warns against ignoring some of that history,
9 correct?

10 A. Say again?

11 Q. It warns against ignoring history, correct?

12 A. I think this is in the context of speaking to a
13 forensic pathologist medical examiner whose job is to develop a
14 the cause and manner of death. And so that puts a burden on
15 them. And I'm trying to define what that burden is. That may
16 not necessarily be the burden of an expert as well because they
17 may be focused on certain issues, but to the person who has to
18 satisfy that statutory requirement, then they really do have to
19 roll up their sleeves and get information.

20 Q. Okay. And if you're going to come in and testify as an
21 expert, or to give your evaluations and your opinions based on a
22 review of the history and the medical evidence, such as you have
23 in this case, it would be important for you to not disregard or
24 ignore any parts of the history that you're presented, correct?

25 A. It -- this gets tricky because the burden upon someone
26 such as me in this circumstance is not the same as it is to the
27 coroner or medical examiner, and I don't have the resources or
28 the expertise necessary to go and seek witnesses, interview

1 people, do things like that. I'm not an expert in interviewing.
2 I have no experience of that. And so there is a break point
3 between what an M.E. could and should do, and what I can do and
4 should do. And that's a gray zone, of course. I freely admit
5 that.

6 Q. Okay. Well, I'll put it this way: In other words --
7 you had an opportunity to review Dr. Swalwell's report, correct?

8 A. Of course, yes.

9 Q. Dr. Kuelb's report?

10 A. Yes.

11 Q. And I would imagine that you could tell that some of
12 the police work, some of the investigation went on after both
13 those doctors had written and authored their reports, correct?

14 A. I'm not sure of what the time frame was for subsequent
15 investigations and so forth. Ultimately that didn't concern me
16 so much that -- I'm interested in staying as close as I can to
17 the objective material, which is -- which can't be changed by
18 anybody, which is the CT scan, and the autopsy slides and
19 pathologist's report and so forth. And the other things provide
20 a context, but not I'm not equipped to really deal with every
21 aspect of that -- of -- you know, weighing one witness against
22 another or attempting to determine who may be complete or
23 incomplete.

24 Q. Okay. Well, I guess I'll ask it more directly.

25 A. Yeah.

26 Q. When you're going to come in and give your opinion on a
27 case like where a lot of work has already been done before you
28 come in -- is that a fair assessment?

1 A. Right.

2 Q. You shouldn't ignore any pieces of history that are
3 available to you in coming in and giving your opinion, correct?

4 A. The history is -- provides a context. It doesn't
5 provide me answers so much. I have to start with the objective
6 material that I can deal with, with my specialty. And if
7 historical information comes in, I regard that as being --
8 sitting here being able to answer questions that may come from
9 that. I can't resolve all of those things. Maybe from the
10 objective information and analysis I can, but it isn't up to me
11 to be the cop, to be the investigator, to deal with the
12 historical information other than in a context from being able
13 to answer questions.

14 Q. Okay. And I appreciate that, Doctor. What -- I guess
15 what I'm asking you is when you came in initially and spoke with
16 Mr. Brown right now and kind of gave your summary of the history
17 you had about the child, and you were talking about what
18 happened on the 2nd and the 3rd and the 4th, prior to her going
19 into arrest?

20 A. Right.

21 Q. Many of the details that you included were those that
22 came were -- those were the details that accentuated, I guess,
23 the sleeping and the lethargy of the child, correct?

24 A. That's correct.

25 Q. On page 569 of your book -- I already talked to you a
26 little bit about it -- you told us that the bruises are hard to
27 time, but yellow is generally a pretty good indicator of old,
28 correct?

1 A. Yes.

2 Q. Okay. On page 569 you also discussed -- this is a
3 section on dermal and scalp injuries -- you discussed the fact
4 that external injuries that are observed oftentimes won't match
5 with the injuries that are found underneath the skin, correct?

6 A. That's correct.

7 Q. Sorry, Doctor. Just a second here. Epidural
8 hemorrhages can be caused with difficult births?

9 A. Yes; that's true.

10 Q. Okay. And it can last for some time into the child's
11 life, correct?

12 A. That's right.

13 Q. Evidence of these hemorrhages can?

14 A. They can appear -- not discovered at birth, but then
15 appear later in some context or another.

16 Q. Okay. And I think that you -- you discussed with
17 Mr. Brown on direct examination a little bit, when you were
18 discussing, for lack of a better word, possibilities. Might
19 have even been more specific consulting the notes for this --
20 you testified that there was evidence, based on your review of
21 the blood slides, the hematoma -- hemorrhage slides, that there
22 was evidence three to five days that there had been some injury
23 in that time frame; do you remember --

24 A. Yes, that's what I said.

25 Q. And then you also said that you didn't know what it was
26 necessarily to either cause that or it could have been any
27 number of things that caused the actual arrest itself, which
28 caused, but you called the car door a candidate?

1 A. I'm sure there are multiple factors, including major --
2 one would be increased intracranial pressure, the role of
3 aspiration and -- evolving could certainly be a part of that,
4 and there may be other things as well.

5 Q. Okay. Isn't it correct in your book that you discuss,
6 after citing your study on hematomas, occur without skull
7 fractures; do you recall that?

8 A. Okay.

9 Q. And you also go on to discuss the fact that there is
10 not sufficient information to study on infants or children who
11 sustain hematomas without any sort of trauma; do you remember
12 discussing that?

13 A. Yeah, there's -- there's circumstances that that's
14 true.

15 Q. Okay. But there isn't -- there's no study on that,
16 correct?

17 A. Oh, yeah, there's -- the problem is -- there have been
18 a number of studies that address the issue, okay, we don't have
19 any evidence particularly of trauma, and yet we have a child
20 with subdural hematoma. And once you rule out birth trauma, or
21 move farther away in time, then there's a whole bunch of
22 problems that can potentially do this. The trick is trying to
23 diagnosis and figure out what those things are. But there's a
24 literature on that.

25 Q. Okay. This isn't what you're referring to when you say
26 "insufficient data or available are available on these
27 comparatively few published cases"?

28 A. Many times there aren't enough cases in a specific

1 situation to deal with it. First of all you've got to discover
2 that there is a subdural, and then you do the best you can to
3 try to figure out what caused it. And there have been --
4 there's literature on that, but in terms of maybe specific
5 questions, it may be deficient.

6 Q. Okay. And, now, you just talked to us for a moment
7 about the increased intracranial pressure?

8 A. Yeah.

9 Q. And you talked to us a little bit while you were on the
10 stand this morning about 40 milliliters, and you showed us your
11 water cup and kind of gave us --

12 A. Uh-huh.

13 Q. 40 milliliters. What's an ounce, in milliliters?

14 A. Oh, gosh I forget how to convert them. I think
15 metrically now, I don't think the other way, but --

16 Q. It looked about an ounce to me, you were holding up.

17 A. A couple ounces at most, or you could say a couple shot
18 glasses, I guess.

19 Q. I'm sorry, that's what came to my mind.

20 A. I wouldn't normally think about that, of course.

21 Q. You wouldn't. But unfortunately I already admitted
22 that a couple times here, but it looks sort of like that to me
23 like --

24 A. I guess if I'm looking, because I have this glass now,
25 maybe a finger's breadth would be about 50 milliliters there.

26 Q. All right. And you showed us a chart earlier today
27 that demonstrated how -- I guess how quickly or how much of an
28 effect each incremental I guess two -- what was that value in

1 that chart?

2 A. Well, I think they had it milliliter by milliliter, or
3 maybe two. I forget now.

4 Q. It's SS. I'll put it back up for you.

5 A. They did it in increments of two milliliters each.

6 Q. So a very insignificant -- well, a very small change in
7 pressure can have a dramatic effect; is that fair to say?

8 A. It can in an infant, yes.

9 Q. Okay. And a very small increase in pressure can have
10 both a dramatic and a quick effect, correct?

11 A. Once you are at that kind of the border zone of
12 compensation, then pressure will rise rather dramatically, and
13 maybe very seriously.

14 Q. Okay. To follow up on a few answers you gave this
15 morning, I wanted to ask questions about.

16 A. Uh-huh.

17 Q. I'm sorry -- excuse me. You showed us a couple of CT
18 scans that were marked by the defense this morning, two of them
19 specifically; do you recall that?

20 A. Yes.

21 Q. And I don't know where they are now. The CT scans --
22 it's actually -- okay. We saw two of them, and you said those
23 are kind of the hat line?

24 A. That was the first one that was shown.

25 MR. WALSH: Thank you, Mr. Brown.

26 MR. BROWN: You're welcome.

27 Q. (By Mr. Walsh:) Now, there were other CT scans -- I'm
28 putting on the overhead now JJ. There were other CT scans

1 taken, correct?

2 A. Yes, there are other images, even from that one, maybe
3 a dozen or more that basically would like it was as if you were
4 taking my band saw, you know, slicing upward in the head and
5 seeing what's in that segment. And I didn't copy those.

6 Q. Were there other CT scans that showed the -- either the
7 swelling or the hemorrhages --

8 A. Correct.

9 Q. -- of more than this?

10 A. Yes.

11 Well, the first one, that I didn't make copies of
12 because it was all -- the way they did this printed up a whole
13 bunch of small images on a big film, and it was hard to peel
14 them off. But in terms of looking at them, and that would be
15 the Rancho -- Rancho Springs, okay, the study that was done at
16 the first hospital, essentially it's the same as the one done at
17 the San Diego Children's.

18 Q. Okay. So there others that show, I guess, more --

19 A. Same stuff.

20 Q. Okay. Are there others that show, I guess, looking
21 more significant, or --

22 A. There really was an incremental, minimal difference
23 between the first scan and, I don't know how many, a couple
24 hours later, the second one.

25 Q. Okay.

26 A. And that's my awareness of how many CT, I think there
27 was just two studies done.

28 Q. Okay. And I guess I -- I had a little -- I had a

1 couple questions, I had a little trouble understanding.
2 We've -- you said you've reviewed the -- some photographs in
3 this case. Have you reviewed the photographs from the autopsy
4 itself?

5 A. Yes.

6 Q. Okay. In fact, the photographs that depict the actual
7 subdural hemorrhages, once the skin is peeled back and --

8 A. That's correct. There are photographs there of those.

9 Q. Okay. And those indicated, I guess, several different
10 areas of hemorrhaging, correct?

11 A. Well, the main one was on the right side and top of the
12 head. And then when the brain was removed, there were some
13 others in the posterior part of brain, and there may have been a
14 couple patchy other ones maybe on the other side. I just don't
15 recall right now.

16 Q. Okay. I'm putting People's 27 on the overhead. I
17 apologize. I need to ask you some questions about this?

18 A. Okay.

19 Q. And we've had testimony this is, I guess, the forehead,
20 or the front of Kerianne Bradley's head as the skin was peeled
21 back from the front of it.

22 A. I think that that is true, that this is the front of
23 the head, the nose would be under here, and the scalp has been
24 peeled forward, and this would be the right side --

25 Q. Yes.

26 A. -- of the forehead.

27 Q. Yes, that's what's been testified to.

28 Now, these -- there's different areas, different dark

1 areas here. I guess maybe one here and in the dead center a
2 little bit to the left there appear to be kind of a bunch up
3 here, and then there's some down here, right, at the bottom of
4 the peeled back skin?

5 A. Yes.

6 Q. So this is -- what is the hemorrhage called when it's
7 in that skin? What is that called?

8 A. Well, it appears to be multifocal, but they tend to
9 have a cluster and sort of a crescent shape, I guess, roughly,
10 if you can think about them. At one point they -- at this point
11 they appear to be separate, and they may or may not actually be
12 that way.

13 Q. Actually what I was asking is what's the term for these
14 kind of hemorrhages, I forget, the hemorrhages that are in the
15 skin?

16 A. Oh, I see. I'm sorry. I misconstrued.

17 Q. Okay.

18 A. Those would be subgaleal hemorrhages. The galea is the
19 loose connective tissue under the scalp.

20 Q. And so there appear -- is it fair to say there are
21 multiple subgaleal hemorrhages in this photograph?

22 A. It appears so, yes.

23 Q. And could those correlate to multiple areas of impact?

24 A. It could.

25 Q. Okay. And it could also not; I understand what you're
26 saying.

27 A. That's right.

28 Q. Okay. And then this is 28, this appears to be the back

1 of Kerianne's head; is that right?

2 A. Yeah, that would be the peak of the back of the head.

3 Q. Okay. And is that -- looks like we can see on -- on
4 the actual -- what's the skin that's left around the skull in
5 this picture?

6 A. This would be the opposite flap that is reflected
7 backwards, which you can see the ear here, this is something
8 right at the back peak of the head.

9 Q. What would that be called? Is that also a sub --

10 A. Subgaleal hemorrhage.

11 Q. Okay. And does this appear to be, I guess, removed by
12 some distance from the other ones?

13 A. Oh, yeah, it was physically separate.

14 Q. Okay. And then this is 29, it looks like -- I'm sorry,
15 switching here -- looks like we're looking at the -- this is the
16 lower right of the head?

17 A. Yes. It maybe something -- it's hard to tell where the
18 shadow is, if there is something back there, but there could
19 well be a subgaleal hemorrhage way in the back, back behind the
20 ear, and the back part of the head.

21 Q. All right. And do you recall Dr. Swalwell noting that?

22 A. I believe he did, yes.

23 Q. All right. Now, this would appear -- again, I'm kind
24 of giving the lay attorney's opinion here, but this would appear
25 to be different areas of hemorrhaging, correct?

26 A. That's correct.

27 Q. Okay. And I didn't -- what was your explanation for
28 why she would have different areas of hemorrhaging?

1 A. Okay. Let us start with the injury scenario that we
2 talked about, about the impact with the car door.

3 You have to take a look at what the shape of the face
4 is and how the location of various bruises might articulate with
5 a slightly curved surface of a car door or a car door frame.
6 And it may be that there is one impact that, because of the
7 rising point of the cheek, you're going to have a bruise there,
8 because of the rising point of the edge of the orbit, you may
9 have an apparent impact site there. Because of the bulge in the
10 forehead, that may be another point of contact with one surface.
11 So you might have one injury event that could explain all of
12 those things.

13 As an alternative, you certainly need to consider the
14 idea are there multiple separate dings, so to speak, and I'm not
15 sure how to get at that, to resolve that question.

16 Now, if you're talking about -- that's a complex of
17 bruises, and so there's both interpretations, one impact to a
18 special surface that touches the protuberant points, or one,
19 two, three, four separate impacts.

20 Then we deal with the one at the top of the head, those
21 are rather difficult sometimes because when the child is laying
22 in a hospital bed they may be up against the end rails of the
23 bed, they may have not so soft pillows on them, there may be
24 things that are around the head that -- put the oxygen mask and
25 other devices to them, which can produce hemorrhages like that.
26 Especially in a coagulopathic kid. And it can be very
27 challenging and often is to say what is that.

28 One scenario is it's another impact something or

1 somebody did, or it's something that occurred incidentally in
2 the course of medical treatment, hospitalization.

3 Q. Let me show you -- this is People's 90. Not going to
4 put it on the overhead. This has been testified to as Kerianne
5 Bradley in her hospital bed at San Diego Children's Hospital.
6 Is her head up against any rails in that photograph, sir?

7 A. I have seen that and others like this, yes.

8 Q. Do you see in that photograph, is her head up against
9 any rails or anything like that?

10 A. No, except that we see the bed control is right -- very
11 close, there are pipes and other things cascading away from and
12 over the head. They don't appear to be behind the head at the
13 moment, although it's not so clear. And this is a dynamic
14 situation, the kid is being moved and so forth.

15 Q. Okay.

16 A. Sometimes these pipes get under and on the skin and
17 sometimes you can actually see photographs where it is, and lo
18 and behold, there's a bruise underneath there, so that's --

19 Q. Okay.

20 A. -- part of the confounding problem of interpreting
21 bruises in a child like this.

22 Q. Okay. Now, Dr. Swalwell in his -- in his report
23 noted -- let me see. I want to find the page here. May not be
24 able to find the page.

25 But he noted -- he considered these different areas of
26 subgaleal hemorrhaging to be evidence of multiple areas of
27 impact, correct?

28 A. Well, that was his conclusion, yes.

1 Q. And that -- and you're acknowledging today that is a
2 possible conclusion, correct?

3 A. It certainly is. I'm just saying maybe, maybe not. He
4 chose one way, I might say, "I don't know."

5 Q. Okay. Understood.

6 And going back to that car door, you mentioned some
7 things about the car door which you refer to as the leading
8 candidate or -- I think that's the word you used.

9 A. Yes.

10 Q. Now, you also just opined for us briefly about
11 potential for multiple bruises or impact areas being caused by a
12 car door because it's firm, and --

13 A. Right.

14 Q. -- the side of the face is kind of shaped. And, again,
15 I'm paraphrasing.

16 A. Well, that -- that's correct, that's -- I did talk
17 about how a single impact, depending on the surface, and
18 where -- might appear to give multiple impact sites, which they
19 are multiple, but it's from a single event.

20 Q. Understood. Now, you talked about I think during your
21 direct examination you talked a little bit about -- I think you
22 analogized this car door candidate as being similar to a
23 one-foot fall; do you remember saying that?

24 A. Yes. I think -- I think we have to be careful about
25 that, but I think that might be something that you could compare
26 with.

27 Q. Okay. And I think in your answer -- I know I didn't
28 write it all down, but I wrote it's possible to study -- it

1 would be possible with the right technology to do a study, but
2 you just have to look at similar studies, and then you analogize
3 to a one-foot fall; is that a fair summary of what you said?

4 A. Yes.

5 Q. So, in other words, I take it you didn't do any studies
6 on this particular car door?

7 A. No.

8 Q. And I take it, as you sit here today, do you even know
9 how heavy the car door was, or anything like that?

10 A. No, I don't. I mean, we all know what these things are
11 like. There's a certain amount of folk knowledge of cars that
12 would apply to me as well as anybody. And to go much further
13 and put numbers on that, I'm uncomfortable doing so because I
14 like to stick to the science of the most known, and I can't give
15 you precise numbers about that.

16 Q. So you -- it sounds like there isn't even necessarily
17 like a study or experiment you could suggest that would simulate
18 these exact situations, correct?

19 A. Oh, I could suggest an experiment that probably would
20 model that very nicely, except that I don't have the equipment
21 and expertise to do that.

22 Q. All right. The -- you also made mention that, when I
23 was talking to you about the multiple areas of -- multiple
24 subgaleal hemorrhages, we were just talking about that a minute
25 ago, I just apologize for jumping around, but you mentioned -- I
26 think part of your answer said in a coagulopathic child?

27 A. Yes.

28 Q. Now, I thought we covered that this morning. You can't

1 say whether or not Kerianne Bradley was, prior to these tests
2 that were done at the hospital on the blood, right?

3 A. Well, that probably didn't begin five seconds after or
4 before they drew the blood, but how far back outside the
5 hospital this child was coagulopathic, I can't say. I don't
6 know. I don't have any data to help me with that.

7 Q. At the time of her first test, the PT and the PTT
8 levels were only slightly elevated, correct?

9 A. Yes; that's correct.

10 Q. So -- so if --

11 MR. WALSH: Could I just have a moment, Your Honor? I
12 think I'm close to being completed.

13 THE COURT: That's fine. Take your time.

14 MR. WALSH: Thank you. Thank you for your patience,
15 Doctor.

16 Q. (By Mr. Walsh:) Now, the -- I'm going back to this
17 history again.

18 A. Okay.

19 Q. The -- and I don't want to get into a debate with you
20 about what the word "fine" means, because when I ask my wife
21 what's wrong and she says she's "fine," I know that's not what
22 she means. But as far as -- you gave us a summary of the days
23 preceding Kerianne's death?

24 A. Yes. And, again, a summary, and that's it. It's
25 not --

26 Q. Understood.

27 A. -- totally particular.

28 Q. And within the interviews of different people who were

1 around the child during the last week her of her life, there
2 were people who described her at times during that week as
3 appearance to be -- using the word "fine"; do you recall that?

4 A. Apparently so, yes.

5 Q. And you are aware that she saw a doctor -- and we're
6 not going to talk about the reason -- but you were aware she saw
7 a doctor on Monday, the 31st, correct?

8 A. Okay.

9 Q. Are you aware of that?

10 A. Yes, yes. I'm aware of some prior medical history.

11 Q. All right. And she was in the pediatrician's office to
12 see a certified nurse practitioner on Wednesday -- excuse me --
13 Thursday the 2nd, correct?

14 A. That's right.

15 Q. And there were descriptions of her eating, and there
16 were descriptions of her being awake on the days from the 1st
17 all the way up to the 4th, correct?

18 A. Eating how much is not totally clear to me. I couldn't
19 get a good picture in my mind about how much she was actually
20 eating. So I can't say what I don't know.

21 Q. Okay; I understand. But would you agree that some of
22 these symptoms that you've discussed with Mr. Brown this
23 morning, some of the symptoms that she was exhibiting you told
24 us today that some of those are similar to what a person might
25 experience as a result of a head injury?

26 A. That's correct.

27 Q. But these are also symptoms that a child would
28 experience when they have a stomach flue or A.G.E.?

1 A. They can be the same.

2 Q. And then it was -- and I believe it was your final
3 testimony with Mr. Brown that the opinions you provided us in
4 court today are based on your review of the CT scan
5 demonstrating increased cranial pressure?

6 A. Yes.

7 Q. Your review of the pigment of the slides that you
8 reviewed in preparation for your testimony?

9 A. Correct.

10 Q. As well as the history and the facts that you received
11 of the days around the child's passing, correct?

12 A. That's right.

13 Q. Okay. Is that the extent?

14 A. Yeah, I mean, the autopsy, autopsy slides, CT scan,
15 photographs, and then a lesser impact, I guess you can say, or
16 veracity, come from the historical accounts, that do vary, and
17 that -- that's that.

18 Q. Okay; thank you very much, Doctor. I appreciate your
19 time.

20 A. Thank you.

21 THE COURT: Thank you, Mr. Walsh. Mr. Brown, any
22 follow-up?

23 MR. BROWN: I do, Your Honor, briefly. Thank you.

24 THE COURT: Okay.

25 REDIRECT EXAMINATION

26 BY MR. BROWN:

27 Q. Doctor, I want to direct your attention to this
28 comparison between the gastroenteritis and the head injury

1 symptoms.

2 A. Uh-huh.

3 Q. Okay?

4 A. Correct.

5 Q. With A.G.E., acute gastroenteritis, do you typically
6 have blood that can be dated histologically up to three to
7 four days and indicative of prior head trauma?

8 A. Well, that's a separate process, apples and oranges,
9 and has nothing -- this kid had gastroenteritis which it had
10 that too. It doesn't effect the subdural and the aging and
11 dating process.

12 Q. I guess what I'm saying is if you have the flu --
13 excuse me. I guess what I'm saying is that histologically dated
14 blood, between three and five days old and five to seven days
15 old, those are not symptoms of the flu, are they?

16 A. No.

17 Q. They're not symptoms of acute gastroenteritis, are
18 they?

19 A. No, separate processes.

20 Q. They are symptoms of preexisting head injuries, aren't
21 they?

22 A. Yes.

23 Q. Okay. Now, I want to take these back in a different
24 direction, if you don't mind, from the end to the beginning.
25 This coagulopathy issue, which has probably been spent way too
26 much time on, is that an ongoing process?

27 A. Yes, it's --

28 Q. In other words --

1 A. Yeah.

2 Q. -- when you have a test that shows that someone is
3 coagulopathic, those are the test results at the time when that
4 lab study was taken; isn't that true?

5 A. That's true. This is a dynamic process that has a
6 beginning, a middle, and an end.

7 Q. So just because you have a lab study that says that
8 they're coagulopathic, for example, at eight o'clock at night,
9 that does not mean that the child is coagulopathic starting at
10 eight o'clock at night, does it?

11 A. Well, probably not. It means something was going on
12 before and that's just when you entered the movie theater,
13 that's when you did your test.

14 Q. So the child has to progress to that coagulopathic
15 area, correct?

16 A. Yes, but in terms of the consequence of that
17 coagulopathy, like the pressure volume thing, there is a period
18 in which and mechanism by which the body can compensate for
19 something that's failing. And at some point it either doesn't,
20 or intervention does that, or proceeds on to a more serious and
21 complicated pathologic process.

22 Q. Right.

23 Now, you were asked some questions about studies
24 associated with this car; do you recall that?

25 A. Yes.

26 Q. Okay. Now, if someone would have had that car in their
27 possession, custody and control, based on your skill, training
28 experience and background, could they have conducted a study on

1 this car door?

2 A. That's true.

3 MR. WALSH: Argumentative; speculation, Your Honor.

4 THE COURT: Overruled. The answer was that's true.

5 The jury can consider that. Next question.

6 Q. (By Mr. Walsh:) So in order to conduct a study to
7 determine what would be involved in a car door shutting in this
8 child's head, you would have to have the car, correct?

9 A. Well, or an analogous one. They don't vary that much.
10 You know what the model and year and everything else is, and if
11 you didn't have that car you could certainly get another one.
12 They don't vary that much.

13 And one could do experiments and measurements and try
14 to duplicate what the circumstances were and have a baby model
15 there hooked up to a computer with sensors on it, and slam the
16 door, and slam the kid in it. And you could do all kinds of
17 different scenarios under those circumstances and make the
18 measurements of how much force that was there, and if those
19 force levels would be consistent for, or capable of producing
20 intracranial injury and bleeding. That could be done.

21 Q. You would have to have the same or similar type of
22 spring system, springs on the car door, et cetera, wouldn't you?

23 A. Yeah. Again, probably the variance that would exist
24 within another car of the same year and model, I suppose you
25 could test that, but I'm not sure you would need to. All you
26 need is a simple call to the manufacturer, and say, did you
27 change the design of the door, or springs or latches for that
28 model year, and the answer is no, mostly likely, then you

1 proceed on and assume whatever car you can find that's close by
2 is going to be very, very similar, if not identical.

3 Q. Well, let me talk to you about the child and the
4 bruises that --

5 A. Sure.

6 Q. -- are you aware that Dr. Kuelbs has testified that
7 the -- what she described as bruises on this child could have
8 been caused by one situation?

9 MR. WALSH: Object; misstates the testimony.

10 THE COURT: Sustained.

11 Q. (By Mr. Brown:) Well, do you have an opinion whether
12 or not it could have been one event or multiple events that
13 caused these bruises?

14 A. I don't know. There certainly are multiple subgaleal
15 hemorrhages which raises the perfectly logical question how many
16 events were there.

17 And I attempted to go into why maybe such a simple
18 interpretation is not warranted, namely placement of medical
19 devices, manipulation by personnel, and impact to a particular
20 surface that might produce multiple apparent impacts. And I
21 don't know how to go looking further than raising the
22 possibilities and say, well, there's more than one
23 interpretation here, and certainly it would be appropriate and
24 valid for somebody to say it looks like there are multiple
25 impacts.

26 Q. Well, in this situation we know that there was C.P.R.
27 performed at the scene, correct?

28 A. Correct.

1 Q. We know the child was transported by ambulance to an
2 emergency room, correct?

3 A. Yes.

4 Q. We know that the child was treated and handled by folks
5 there at the emergency room, correct?

6 A. Yes.

7 Q. We know the child underwent treatment at the emergency
8 room with multiple people, correct?

9 A. Yes.

10 Q. We know the child was transported by ambulance or
11 somehow to a life flight, correct?

12 A. Yes.

13 Q. And we know more people had hands on the child during
14 that situation.

15 A. Undoubtedly.

16 Q. And we know there was more medical intervention with
17 the child through all these processes, correct?

18 A. Well, intervention, I don't know if tubes were changed
19 or reintubation occurred. I just simply -- a lot of that isn't
20 on the run sheets and so forth. So what other kinds of
21 manipulation may have occurred, I don't know.

22 Q. Well we know that a lot of different people had access
23 to this child in one way or another, correct?

24 A. That's a fact.

25 Q. All right. And then the child get life-flighted to
26 Children's Hospital, correct?

27 A. Yes.

28 Q. More people have contact with the child there, correct?

1 A. Undoubtedly.

2 Q. And then we have the child being treated at Children's
3 by multiple other people, correct?

4 A. Yes.

5 Q. More studies being done?

6 A. Yes.

7 Q. And then we have the autopsy, correct?

8 A. Yes.

9 Q. All right. So we have multiple different areas where
10 different people are hands-on with the child over the course of
11 a 24-hour period, correct?

12 A. Yes.

13 Q. Now, Mr. Walsh had asked you about you should keep an
14 open mind to additional facts or different documents to change
15 your opinion, correct?

16 A. Yes.

17 Q. Did by chance he share with you any additional facts
18 which have changed any of your opinions that you have rendered
19 for us this morning?

20 MR. WALSH: Object as relevance.

21 THE WITNESS: I haven't -- excuse me.

22 THE COURT: Overruled. Doctor, was your answer "I
23 haven't"?

24 THE WITNESS: I have not received any astounding new
25 information since basically I quit working on the case. If
26 something were made available to me, of course, I mean, I would
27 have to re-evaluate that and see if it made any differences in
28 my opinion.

1 Q. (By Mr. Brown:) But what I'm asking is during your
2 cross-examination by Mr. Walsh, did he suggest any other facts
3 to you which would have changed the opinions that you rendered
4 this morning?

5 A. No.

6 MR. WALSH: Objection; relevance.

7 THE COURT: Overruled.

8 Q. (By Mr. Brown:) I'm sorry?

9 A. No.

10 Q. During the course of your cross-examination by
11 Mr. Walsh, did he show you any documents which would have
12 changed or altered the opinions you shared with us this morning?

13 A. No.

14 Q. Now, he did cite to you, I think, three or four of the
15 cases that you had previously testified to; isn't that correct?

16 A. Yes.

17 Q. Now, your opinions in those, Doctor, that you rendered
18 in those cases, are they based on forensic evidence that you
19 have similar to the analysis that you've gone through with us
20 today?

21 MR. WALSH: I'm going to object as vague.

22 THE COURT: I'm sorry?

23 MR. WALSH: Vague.

24 THE COURT: Do you understand the question, Doctor?

25 THE WITNESS: No, I don't.

26 THE COURT: Well, then I'll sustain the objection.

27 Because if you don't understand the question --

28 (LAUGHTER.)

1 THE COURT: Go ahead, Mr. Brown.

2 MR. BROWN: Congratulations.

3 Q. (By Mr. Brown:) Let me ask it this way. Is it your
4 professional practice or reputation to walk into a courtroom and
5 willy-nilly pull opinions out of the sky?

6 A. Of course not.

7 Q. And in each of the times that you've testified have you
8 had a forensic basis for your opinions?

9 A. Absolutely.

10 Q. And have you done that in all the cases that Mr. Walsh
11 talked about earlier that he cited to you, like in Colorado and
12 different places?

13 A. In many of these there are stacks of records. Basic
14 information is often very similar, but each one has to be
15 evaluated on its merits. And if they're the same, how else can
16 I do? I have to give the same answer. One and one is two today
17 and tomorrow and the next day. And if there's some other factor
18 involved, then the opinion would have to reflect that.

19 Q. And you were asked some questions about potential
20 hemorrhaging from an epidural birth; do you recall those?

21 A. Yes.

22 Q. Okay. Do you know that this child was -- there wasn't
23 an epidural birth, there was a natural birth involved in
24 Kerianne Bradley?

25 A. I don't know anything about the birth history. And
26 point of fact, as a 16- or 17-month old child, that's a long
27 ways away. I mean, there could be things that emanated at birth
28 that could still be a problem for a 16- or 17-month-old, but I

1 frankly didn't see any trail going back to birth that I could
2 do.

3 Q. What kind of trail would you be talking about to see if
4 you could go back to birth?

5 A. We would be talking about a child who had very low
6 Apgars, at birth, had asphyxial problems, looked to be a
7 cerebral palsy-type child. Those things trail on for the life
8 time of the child and can have import to some things down the
9 road. I see no evidence of that.

10 Q. I was going to ask you, any indication that those
11 events were ongoing?

12 A. None.

13 Q. Any scarring or any kind of encapsulization to point
14 to, to say that these five- to seven-day or three- to four- to
15 five-day old subdural issues existed back at birth?

16 A. I don't see any, at this point, any connection to
17 anything relating to the birth.

18 Q. And if you knew that the child was born naturally,
19 would that eliminate any potential hemorrhaging as a result
20 of --

21 A. No.

22 Q. -- an epidural birth?

23 A. No. Normal childbirth, normal vaginal deliveries, do
24 have incidents of retinal hemorrhages and subdurals and other
25 things like that, and you say how in the world does this happen?
26 It just tell us that birth is a traumatic process.

27 And in some people significantly you pay your dues
28 later, others, kids get through, thank God, and that's that.

1 Q. So you would have done the same analysis to go back to
2 see if there was scarring or any trail that you could follow to
3 birth?

4 A. Sure. I mean, if there was any information, I would
5 say is there anything in the material that I have to look at
6 that could correlate with that. And I didn't see anything
7 there. So --

8 Q. Did you see anything that would indicate to you that
9 this child had an inherent acquired disorder from birth?

10 A. No.

11 Q. And has any information been shown to you by the
12 prosecution to indicate this child had any inherent acquired
13 disorder from birth?

14 A. I find nothing relating to the birth at all. It
15 appears that the kid was developing, as some kids do, normally,
16 maybe some kids are talking by age 16 months, and my grand-kids
17 were, but so what. That's the way it is. I didn't find that
18 abnormal.

19 Q. Now, you were asked some questions about this term --
20 not specifically -- not the term -- was referenced, shaken baby
21 syndrome?

22 A. Yes.

23 Q. Doctor, you are aware Dr. Kuelbs has testified this is
24 not a shaken baby case, correct?

25 A. That's my understanding.

26 Q. And is that also your opinion?

27 A. I don't think that that enters into consideration here
28 at all.

1 Q. Now, you had some discussion with Mr. Walsh about a
2 severed spinal column, and going back to the French wars and the
3 guillotines and so forth?

4 A. Oh, yes.

5 Q. I was kind of wondering about that a little bit. When
6 a head is severed, or a spinal cord is severed, I mean, has the
7 body reaction changed much in the last 300, 400, 500 years?

8 A. Well, that's when you go back to these -- the nasty
9 time during the French revolution in which people had their
10 heads cut off, and an experiment was actually done with the
11 discoverer of oxygen, Dr. Lavoisier -- why someone would be
12 cold-blooded enough to be able to do this -- made arrangements
13 with a friend to shout at him when his head was in the basket,
14 and he would blink or do something, which apparently he did, for
15 up to 10 or 15 seconds, and some say more, after he had been
16 guillotined.

17 And there's other evidence that suggests that massive
18 injuries like that can sometimes have a period of consciousness
19 relating to them, for 10 or 15 seconds. Basically one
20 circulation time. And that's why that rather morbid and awful
21 business came up.

22 Q. Now, where I was raised we were taught to stay away
23 from rattlesnakes and such when you had your head cut off [sic]
24 because they could still bite you; is that kind of the same
25 analogy?

26 A. Yes; yeah.

27 Q. Okay. Did Dr. Swalwell ever talk to you in this case?

28 A. No. I think I've met him at a meeting or two. But I

1 didn't -- I've never talked with him certainly about this case,
2 and I can't recall the last time we might have had a
3 conversation.

4 Q. Do you know if Dr. Swalwell had the benefit of the
5 history that was shared with this jury about the child and how
6 she was three, four, five days before this February 4th date?

7 A. It appears that information was not communicated to
8 him, but I can't answer for Dr. Swalwell. I don't know.

9 Q. I wanted to ask you one other question here. This
10 is -- Mr. Mr. Walsh had talked to you about the intracranial
11 pressure or fluid with the child and how quickly it might react;
12 do you recall that area?

13 A. Yes, I do.

14 Q. Can anybody say whether or not that intracranial
15 pressure would have reacted to the extent that the child would
16 decompensate within an hour, two hours, three hours, four hours,
17 five hours from the time when it did actually decompensate?

18 A. This is something that's totally unpredictable. When a
19 child is in that situation where they're barely able to
20 decompensate, back to the curves again, little events -- little
21 things mean a lot, I guess I could say. And this may occur
22 totally spontaneously, it may occur -- this decompensation in
23 the presence of any number of people who may or may not have had
24 anything to do with it.

25 And so this is -- it's like why did the bomb go off
26 now. Well, the fuse got short, that's all. And what caused
27 that, then it becomes sort of speculative.

28 Q. And the fuse is getting short. You have no idea how

1 fast it is burning, do you?

2 A. No.

3 Q. Whether it's a child or adult?

4 A. Right.

5 Q. Now, there were some questions asked of you earlier on
6 in Mr. Walsh's examination of you about the amount of slides and
7 so forth that were sent to you; do you recall that area of
8 testimony?

9 A. Just bring me back to speed on that a little bit.

10 Q. Well, I didn't really get it too well, but he was
11 asking you, I think, if there was an indication of where the
12 slides came from; do you recall that?

13 A. The microscopic slides you're speaking of now?

14 Q. Yes, sir, the slide.

15 A. Yeah, I looked at all that were provided to me, and
16 tried to compare them with identifications in the autopsy report
17 by Dr. Swalwell. And, as far as I can tell, there's none
18 missing. I don't have anything that he didn't have. I got them
19 from him, so --

20 Q. So are there two slides?

21 A. There are -- there are two slides taken of the dura.
22 He mentions that. I went through my documents, and yes, there
23 are two slides, but they're recuts from the same block.

24 Q. What does that mean, when you say it's two slides but
25 they are recut from the same block?

26 A. If you have a piece of salami, you make one slice, then
27 you make another one. And in this case the first slide that I
28 looked at was stained with the standard red and blue dye called

1 HNE, that we all use.

2 And then there was a slide stain which I showed a
3 picture of, for the iron that required a separate piece of
4 tissue. But there was another cut from the same piece of block
5 that contains tissue.

6 So there are two slides, but it's not two different
7 slides, it's two cut from the same block, so to speak.

8 Q. Now, Doctor, one other area I wanted to clean up a
9 little bit, is the -- how is aspiration connected to a head
10 injury?

11 A. Well, if respiratory difficulty occurs, and symptoms
12 are occurring because of rising intracranial pressure, then the
13 child may vomit, which we know the child did, in which case
14 inhalation of that vomitus can produce an aspiration pneumonia,
15 and its own train of complications that can have an import on
16 how the child does.

17 But it has to be connected with vomiting and lack of
18 being able to cough stuff out. And if you're lethargic and half
19 sleepy and vomiting, that can be a deadly scenario for inhaling
20 what you've upchucked.

21 Q. And is that the sound that you were associating with
22 that earlier wheezing and gasping kind of sounds?

23 A. Yeah, I'm not in the habit of being around when people
24 are dying in this way, but various vocal sounds have been
25 described from choking and coughing to gagging to wheezing,
26 doing other sorts of things. So from that report I can't tell
27 you what is particularly going on at the time other than that
28 the kid is in respiratory distress.

1 Q. And the respiratory distress, could that be caused by
2 altered loss of consciousness?

3 A. Yes.

4 Q. Preventing a child from clearing its airway?

5 A. That's part of it.

6 Q. That could cause the child to gasp for breath?

7 A. Yes.

8 Q. Could that lead to respiratory failure?

9 A. All this goes into the fact that the child was not able
10 to perform the normal respiratory functions efficiently, whether
11 it's due to obstructions, asthmatic bronchospasm, aspiration,
12 or some neurogenic reason why breathing is not working right.
13 All of those things could be present.

14 Q. And that could stop a child from breathing; isn't that
15 true?

16 A. Yes.

17 Q. Thank you.

18 MR. BROWN: I have nothing else. Thank you, sir.
19 Thank you, Your Honor.

20 THE COURT: Thank you, Mr. Brown.

21 MR. BROWN: Thank you, Your Honor.

22 THE COURT: Mr. Walsh?

23 MR. WALSH: Just one second, Your Honor. I'll consult
24 with "Dr. Ullrich."

25 (BRIEF PAUSE IN PROCEEDINGS.)

26 MR. WALSH: I do just have a couple questions, Your
27 Honor.

28 THE COURT: Go ahead.

1 MR. WALSH: I thought long and hard about it.

2 REXCROSS EXAMINATION

3 BY MR. WALSH:

4 Q. Your history includes the fact that witnesses who are
5 around the child one hour before her arrest did not see any
6 visible signs of injury, correct?

7 A. Say that again. I want to be sure I get --

8 Q. Certainly. Your history includes the fact that
9 witnesses who were around the child approximately one hour
10 before her arrest noted no visible injuries to her with the
11 exception of one mark that has been related to --

12 A. That is my understanding, yes.

13 MR. BROWN: And I was going to interpose an objection,
14 this assumes facts not in evidence, misstates the testimony.

15 THE COURT: Overruled. Answer stands. But the doctor
16 said yes.

17 MR. WALSH: Thank you.

18 Q. (By Mr. Walsh:) And the chart that you've shown us
19 about the increased intracranial pressure, well, how does that
20 factor into your evaluation of the child, the fact that
21 witnesses who saw her an hour before she went into arrest saw,
22 at most, a raised area of swelling on her face and shortly
23 thereafter she has what you've seen in these photos?

24 MR. BROWN: Misstates testimony.

25 THE COURT: Overruled; go ahead, Doctor.

26 THE WITNESS: You want me to correlate the bruising on
27 the face with what? The increased intracranial pressure?

28 Q. (By Mr. Walsh:) No, I'm sorry. I asked the question

1 poorly.

2 The doctors who have testified previously in this trial
3 opined that the hemorrhage, the subdural hemorrhaging that they
4 observed in Kerianne's head, could have placed her into arrest
5 very quickly. Would you agree that that's a possibility?

6 A. In this particular case, I don't think so. I mean,
7 something has been there for a period of time. And maybe it
8 ramped up at the time the child decompensated, maybe due to the
9 car door, maybe due to something else, or nothing.

10 And an acute subdural hematoma can certainly kill
11 somebody quickly, but generally those are a bigger amount. This
12 all talks about something that was evolving and not just bang,
13 at one time. I'd have to disagree with that interpretation.

14 Q. Okay. And that's based on your evaluation of the
15 slides from -- as we sit here today, an unknown area of the
16 brain?

17 A. Yes.

18 Q. Acknowledging that these slides will -- hematomas will
19 differentiate different colors, and that's to be expected?

20 A. That's right.

21 Q. Even from multiple incidents?

22 A. Right.

23 Q. Okay. And the -- well, trying to formulate the right
24 way to say this. Can you say with a reasonable degree of
25 medical certainty that injuries sustained upon this child on
26 February 4th were not the cause of her death?

27 MR. BROWN: Vague and ambiguous as to the term
28 "injuries."

1 THE COURT: Do you understand the question, Doctor?
2 THE WITNESS: Yes.
3 THE COURT: Overruled. You can answer it, if you have
4 an opinion.
5 THE WITNESS: That's presupposing that injuries did
6 occur on February 4th, which they may have.
7 But whatever occurred on February 4th was placed on a
8 preexisting condition that was evolving before that. So if -- I
9 don't know what happened on February 4th ultimately.
10 Clearly there's been some recent bleeding, but did that
11 occur on February 4th or the 3rd or some other time? So I can't
12 answer that question a yes. I have to say I don't know.
13 Q. (By Mr. Walsh:) Okay. Thank you very much.
14 MR. WALSH: I have no other questions, Your Honor.
15 THE COURT: Thank you, Mr. Walsh.
16 Mr. Brown?
17 MR. BROWN: No; thank you, Your Honor.
18 THE WITNESS: Okay.
19 THE COURT: Doctor, have a good rest of the day.
20 THE WITNESS: Okay; thank you.
21 THE COURT: Thank you, sir.
22 THE WITNESS: I may be excused? Very well.
23 THE COURT: You are excused.
24 THE WITNESS: Good. Not that we don't love you madly.
25 THE COURT: Of course.
26 THE WITNESS: Okay.
27 THE COURT: Want to approach sidebar?
28 MR. BROWN: Please. Thank you, Your Honor.

1 THE COURT: Okay.

2 (A DISCUSSION WAS HELD AT SIDEBAR, OFF THE RECORD.)

3 THE COURT: I had a sidebar conference with counsel.
4 There's a potential witness that the defendant wants to call.
5 May be able to be here tomorrow, may not be.

6 So this is what we're going to do. I'm trying to make
7 this as easy on all of you as possible.

8 I will tell you that we're very close to the end of the
9 case. You will be hearing from some witnesses on Wednesday.

10 And then am I correct, Mr. Brown, then you may be
11 resting at the end of Wednesday?

12 MR. BROWN: That's our plan, Your Honor, yes.

13 THE COURT: Okay. So we're well ahead of our
14 January 28th schedule, when I told you that you would get the
15 case.

16 I will tell you that next Monday is a holiday. Tuesday
17 we're going to be dark, and Wednesday is a furlough day.

18 So the next day you will be back potentially is next
19 Thursday. But anyway, tomorrow, this is what I would ask you to
20 do. Defense counsel, as you know, has worked with witnesses
21 with the People, and so don't hold it against them. Sometimes
22 you don't know when people can come in.

23 If all of you could call my clerk -- she will give you
24 the number, or my deputy will -- by 10:00 in the morning. I
25 would say start at about 9:00 o'clock. So between 9:00 and
26 10:00, all of you call. Very quick call.

27 She will tell you whether to be here at 1:30 or not.
28 So that's how I'm going to leave it, rather than bring all of

1 you here at 1:30 and make it a fifty-fifty bet, and then you
2 just leave. I just don't want to do that to you. I'm just not
3 comfortable with that.

4 And my clerk was the one that suggested it. And I
5 think that's a great suggestion.

6 You can call her. You've got an hour. And she'll tell
7 you.

8 Because defense counsel has told me -- and correct me
9 if I'm wrong, Mr. Brown -- that you should know by this evening.
10 Could be late, but you're going to know whether or not your
11 witness is going to be able to testify at 1:30 tomorrow,
12 correct?

13 MR. BROWN: I'm supposed to know between 8:00 and 11:00
14 this evening.

15 THE COURT: And then they will leave a message with my
16 clerk. Then my clerk will know tomorrow morning.

17 If you're not going to be here tomorrow, we will be in
18 session on Wednesday at 9:00 o'clock. So I'm just letting you
19 know ahead of time, if I don't see you tomorrow, then I'll see
20 you Wednesday at 9:00 o'clock.

21 So we're done for the day. You don't have any more
22 witnesses; am I correct, Mr. Brown?

23 MR. BROWN: That's correct, Your Honor.

24 THE COURT: Okay. Remember the admonition. Please
25 keep an open mind. Don't draw any conclusions about the case.

26 Please don't talk to anyone about the case. Don't read
27 anything about the case.

28 But, again, we're very close to the end. And I

1 appreciate your patience. If I don't see you tomorrow, I'll see
2 you Wednesday at 9:00 o'clock. Have a good evening.

3 All right. Court is adjourned.

4 (EVENING ADJOURNMENT.)

5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28

MURRIETA, CALIFORNIA; TUESDAY, JANUARY 12, 2010

BEFORE THE HONORABLE F. PAUL DICKERSON, III -- DEPARTMENT S304

(Outside the presence and hearing of the jury.)

THE COURT: SWF015286. Parties are present before the Court. Mr. Mickey's presence is waived. Outside the presence of the jury. Yes.

MR. WALSH: Hi.

THE COURT: Afternoon.

MR. WALSH: Afternoon. We have been talking. We think we can run through the evidence first and then we have discussion to have with the Court about potential stipulations to give to the jury.

THE COURT: Very good. All right.

People's 1, 2, 3, 4, 5, 6, 7, 8, 9 --

MR. BROWN: Objection. Cumulative. Doesn't show any more than what the photographs already show.

THE COURT: I'm showing the drawing of the home.

MR. WALSH: Yes. The floor plan of the downstairs.

THE COURT: That can have some probative value, the drawing of the home. I'll note your objection, though, Mr. Brown.

10?

MR. BROWN: Same.

MR. WALSH: Three-dimensional diagram of the living room and kitchen.

THE COURT: That was shown to the jury and used, so I'll admit that.

11?

1 MR. BROWN: Same objection.

2 THE COURT: For the same reasons, I'll allow that.
3 Because, again, it was used. I believe there's probative value
4 in it as well.

5 12?

6 MR. WALSH: We need 12. I think we agree it can be
7 admitted, but for -- on the upper right-hand -- this is the
8 Mark Rimmer report. Handwritten in the upper right-hand corner
9 there is a reference to "recent broken arm." With that being
10 redacted, I believe it can come in in its entirety.
11 Is that correct?

12 MR. BROWN: Yes.

13 THE COURT: 12 is modified. Sounds like parties have
14 agreed to the modification. Mr. Walsh will take care of that.

15 MR. WALSH: I'll have to black it out once, then copy.

16 THE COURT: That's fine. Just go ahead and work with
17 my clerk on that.

18 I'm not showing 13 was identified.

19 Madam Clerk, are you showing it was identified?

20 THE CLERK: No.

21 THE COURT: 14, 15, 16 --

22 MR. WALSH: I'm sorry, your Honor.

23 THE COURT: Yes?

24 MR. WALSH: Back to 13. I don't recall if I used it or
25 not. I think they appear in a different photograph. I guess I
26 can withdraw it, if it -- I didn't show it to a witness.

27 THE COURT: I'm not showing it. My clerk's not either.
28 We try to keep track.

1 MR. WALSH: If I didn't show it to somebody, I don't
2 get to use it, so --

3 THE COURT: 14, 15, 16, 17, 18, 19, 20. So, not
4 hearing any objections, People's Exhibit 1 through 8 will be
5 admitted; 9 through 11 are admitted over objection. 12 is
6 admitted as modified. 14 is admitted and 15 through 20 are
7 admitted at this time.

8 21, 22, 23 --

9 MR. BROWN: Excuse me.

10 THE COURT: Yes, sir.

11 MR. BROWN: 22, I have an objection to the death
12 certificate.

13 THE COURT: To the death certificate?

14 MR. BROWN: I know it's a certified copy, but it is --
15 has legal conclusions on it, and it also has immediate cause of
16 death on it, which is not testified to in this trial. It has
17 uncorroborated legal conclusions, "assaulted by another," on
18 this document. It has immediate cause of death, head and
19 abdominal injuries. These are the very issues that are before
20 the jury for them to decide. This is not for Dr. Swalwell to
21 back door his opinions in front of the jury, to have his
22 opinions, which he was not allowed to testify to in this
23 courtroom, go in by way of certificate. I would object. We
24 stipulate the child has passed, but the reason why she passed is
25 the issue of this trial. This adds nothing.

26 THE COURT: Mr. Walsh?

27 MR. WALSH: I disagree. These are all opinions and
28 information that Dr. Swalwell testified to. He testified that

1 it is his practice to prepare this document, includes these
2 conclusions. This is part of his job and this is an official
3 record. He is the person called upon to make a determination as
4 to the cause of death. He did so. He stated his opinions and
5 the basis for them while he was in court. I understand the
6 defense disagrees with them, but this is what he does and this
7 is how he does it. He did testify the cause of death was head
8 and abdominal injury. He did testify he ruled it to be
9 homicide, which is within his job duties and was based on the
10 evidence that he found; so it's a matter -- what he's supposed
11 to do and what he does, and this is what he made. This reflects
12 his opinions and what he had to say here in court. I understand
13 it's an area of contention for defense, but I believe this is an
14 appropriate document to put in front of the jury.

15 THE COURT: I agree with that. The other cases I've
16 had, had those, have been admitted. The doctor did testify to
17 these conclusions.

18 MR. BROWN: He did not testify this was a homicide. He
19 did not testify -- and I objected to that. You sustained my
20 objection. He did not testify that she was assaulted by
21 another. In fact, on cross-examination he said he couldn't tell
22 whether or not the child had been assaulted or not. He couldn't
23 even tell if the injuries were caused by the car door.

24 THE COURT: Go ahead and hand that to my deputy. Let
25 me look at that.

26 I've taken a look at this.

27 I'll note your objection, Mr. Brown.

28 What I'm going to have Mr. Walsh do is I'm going to

1 admit the document, note your objection; but I am looking here
2 at Number 124, "assaulted by another." I tend to agree with
3 that. That is an issue for the jury. Obviously, Dr. Swalwell
4 will testify to the cause of death. That will remain because he
5 did testify, "Look, the child died from head and abdominal
6 injuries."

7 MR. BROWN: I would object to it as being cumulative,
8 because no party is entitled to have documents for an opinion
9 put into evidence. It's cumulative. It's argumentative.

10 THE COURT: I don't think so. I'll note your
11 objection. I'm going to allow that.

12 Because here, immediate cause, condition resulting in
13 death, head and abdominal injuries. He testified. Because we
14 had the autopsy photographs and pointing out the subdural
15 hematomas, we talked about the lacerated liver, we talked about
16 the adrenal gland. You had a chance to cross-examine him, and
17 that's Dr. Swalwell's signature on the bottom; but I understand
18 your point about the assault. It's a general nonmedical term
19 that doesn't describe the cause of death, at least in
20 Dr. Swalwell's opinion. So I don't think that really has any
21 probative value and can be prejudicial. But as far as head and
22 abdominal injuries, that's -- that's the whole case for the
23 People, and, obviously, you're contesting that; although, I'm
24 not really sure even that you're contesting that based on the
25 doctor that testified yesterday.

26 It's almost like there was a prior undiagnosed head
27 injury that was re-injured based on the head injury the child
28 suffered, to wit, the head being hit in the car door. So,

1 whether it was blunt-force trauma that killed the child within a
2 very short period of time of that occurring or whether or not it
3 was blunt-force trauma by a car door that aggravated prior
4 injuries, it's still head injuries that caused the child's
5 death. We still have subdural hematomas that were pointed out
6 in the pictures.

7 MR. BROWN: I understand. I appreciate you redacting
8 that.

9 THE COURT: As far as the assault goes, I understand
10 your point. That's argumentative. It doesn't really further
11 anything, but I am going to allow the "head and abdominal
12 injuries" to remain because he testified to that.

13 MR. BROWN: I didn't know, your Honor -- I don't know
14 if it actually says "caused" or "conclusion" of homicide.

15 THE COURT: No. It just says, "Condition resulting in
16 death, head and abdominal injuries."

17 MR. BROWN: If it doesn't say homicide, I want to make
18 sure I look at that carefully, make sure homicide is not in
19 there, because he was not allowed to testify to that in court.
20 I don't want that in. I would have the same objection as to
21 what you just sustained.

22 THE COURT: It does have "manner of death" box
23 checked -- natural, accident, homicide, suicide, pending
24 investigation, could not be determined. I'll note your
25 objection; but I'm going to allow that because that's the
26 charge. I mean --

27 MR. BROWN: But a charge --

28 THE COURT: Jury knows it's a --

1 MR. BROWN: There's one issue, if I can, Judge?

2 THE COURT: On that box; right?

3 MR. BROWN: Absolutely.

4 I mean, there's a difference between a charge of
5 homicide, a murder, and then having an expert check a box saying
6 "that's my conclusion" when you didn't allow it during his
7 testimony.

8 THE COURT: Let me stop you there.

9 Mr. Walsh?

10 MR. WALSH: I think you did allow it during testimony.
11 I remember going through that entire form with him when he
12 testified. "Is this the form? How did you fill it out? At the
13 bottom, are you asked to make a conclusion as to the type of
14 death this was? You have choices. Which box did you check?"

15 "Homicide. Okay. Thank you."

16 This is the form showed to the jury. This is his job.
17 Job is to look at persons who are deceased, to do an autopsy on
18 them, and to find within one of those categories what type of
19 death. Those are his choices. That's the one he selected based
20 on the opinions he testified to in court, that within this time
21 period, these injuries suffered would place a child into arrest,
22 and the person who was with this child was the defendant. It
23 was his conclusion that is when the injuries occurred;
24 therefore, he ruled it a homicide. The bases for his opinions
25 were testified to, and the box he checked was based on the
26 opinions and information he provided to this jury; so I think
27 it's appropriate.

28 THE COURT: I agree.

1 Mr. -- let me stop you there. I'm going to note your
2 objection, but I agree with that. We had testimony it's
3 blunt-force trauma. There were no intervening acts to cause the
4 child's death. Child suffers massive bleeding in the head. The
5 child dies. And Mr. Mickey was the only one with the child.
6 And that was his conclusion. That's going to be the People's
7 case. Obviously, you're going to be arguing that was not the
8 cause of death, but it's a murder case. He had to check a box
9 because he has to make a determination. That's what he
10 testified to.

11 MR. BROWN: He didn't -- with due respect, your Honor,
12 I don't think he testified it was a homicide. I remember that
13 area of questioning. It was a cursory foundation for an
14 official record. There wasn't a lot of boxes gone over with
15 that. Whether or not this is a homicide is a legal conclusion.
16 It has to be left to this jury.

17 THE COURT: I'll tell you, I couldn't agree with you
18 more. The jury's going to have to make that determination. But
19 they are going to be basing it on the evidence presented and
20 then argued by Mr. Walsh. Mr. Walsh is not going to say --
21 because that is not going to carry any weight -- "Look at this
22 document. The box is checked. Find him guilty of murder."
23 He's going to have to show causation beyond a reasonable doubt
24 to prove the first-degree murder charge or a lesser.

25 MR. BROWN: Under that theory, I could have had
26 Dr. Leestma fill out a document and have him X a box on the
27 bottom of it saying aggravation of preexisting condition, no
28 homicide, and let my people go free, and argue, "Well, there's

1 this conclusion; therefore, I ought to be able to have the box
2 he prepared in the orange form provided to the jury."

3 THE COURT: You're talking about the doctor yesterday?

4 MR. BROWN: Yes, sir.

5 THE COURT: The doctor testified yesterday, as I
6 recall, he disagreed with the medical examiner's conclusion
7 about the death being so quick after the blunt-force trauma.

8 MR. BROWN: I ought to be able to have his transcript
9 and that portion of it put out and put in as an instruction and
10 as evidence with a box next to it where he says, "I disagree
11 with Dr. Swalwell, it wasn't this. This is what it was." That
12 evens the playing field.

13 THE COURT: All right. Well, again, I'm going to note
14 your objection, but I'm going to allow it.

15 But, Mr. Walsh?

16 MR. WALSH: Yes, your Honor.

17 THE COURT: You know what to cross out?

18 MR. SIMOWITZ: One other point?

19 THE COURT: Go ahead, Mr. Simowitz.

20 MR. SIMOWITZ: Same issue came up with Dr. Kuelbs. You
21 wouldn't let her answer the question, "Is this child abuse,"
22 because you said that is a determination for the jury. That's
23 an ultimate fact in this case. It's the same thing with
24 Dr. Swalwell testifying to homicide. It was objected to, and
25 you said, "Yeah, you can't ask that ultimate question." So it
26 came up twice with two different doctors. You sustained it
27 then, and now we're getting it back-doored in. It's not fair.

28 THE COURT: I disagree. I don't think it's being

1 back-doored. I think he testified to the cause of death. It
2 was blunt-force trauma.

3 MR. BROWN: But homicide means at the hands of another
4 human being.

5 THE COURT: Correct.

6 MR. SIMOWITZ: So, that wouldn't allow even Dr. Kuelbs
7 to say this is child abuse. No, it was blunt-force trauma. So
8 we're facing the same thing. The ultimate decision the jury has
9 to decide, was this a homicide? Now, you've got a legally filed
10 document that the County Recorder's Office -- with a stamp on it
11 saying it's a homicide.

12 THE COURT: Right. But it's the opinion of the doctor,
13 and you were able to cross-examine the doctor. He's the
14 examiner, has to make a determination as to cause of death.
15 That's what he testified to here.

16 MR. BROWN: Blunt-force trauma is what he testified to,
17 not classification of it.

18 THE COURT: But unlawful blunt-force trauma by
19 Mr. Mickey is the reason he's being charged. Again, I'm going
20 to note your objection, but I'm going to allow that with the
21 redaction of the assault.

22 MR. BROWN: Can I just -- I want to make sure I
23 understand what the Court's saying on this. And the way for me
24 to do that is to repeat what I'm saying. I don't have a problem
25 with the document. That's an official document signed by
26 Swalwell saying in his opinion blunt-force trauma is what
27 happened because that is at issue.

28 THE COURT: Correct.

1 MR. BROWN: I have no worries about that because I did
2 cross-examine him on that. Okay. I do have an issue -- you
3 sustained the one on the assault by another. But now, if I
4 understand you, you're going to allow this conclusion that it's
5 a homicide to go in front of the jury. My point on this is that
6 he wasn't allowed to say it was a homicide in front of the jury,
7 just as Dr. Kuelbs is not allowed to testify it was child abuse.
8 You sustained the objection, "assaulted by another." And you
9 had a reasonable basis to do that. Yet, you're saying it's okay
10 to have "homicide" go in. If you say assaulted by another is
11 irrelevant, but you're going to allow it in as homicide --
12 homicide is by someone else. I mean, the child didn't kill
13 himself (sic). It would have been suicide. So, there really --
14 the rulings -- I'm not trying to talk you out of the ruling you
15 already sustained. I'm just saying they are very inconsistent
16 to say I'm not going to allow "assaulted by another" to go back,
17 but I'm going to say "killed by another" to go back.

18 THE COURT: You have a good point.

19 MR. BROWN: A charge is a charge. I have worries about
20 the charge. He's going to be found innocent, guilty, or not
21 guilty, but to allow that kind of inconsistency to go in front
22 of a jury, I think that's what Mr. Simowitz is saying. It's not
23 fair, and it ought not to be there, because that's an ultimate
24 conclusion that goes to the jury, not for Swalwell to come to a
25 decision on what he wasn't allowed to testify to in court. If
26 he was, I could have gone over this thing in multiple different
27 ways.

28 THE COURT: Hold on one second, Mr. Brown.

1 Mr. Walsh, want to be heard?

2 MR. WALSH: The medical examiner of the County is

3 tasked with accepting dead bodies in unknown or suspicious

4 circumstances. Doing their work, their pathology, to review the

5 body, to do an autopsy, and to make their determination what it

6 was that caused this person to die when that fact is unknown.

7 One of the things they are asked to do at the end of that within

8 their job qualifications, and what they are asked to do

9 thousands of times per year in the County, is to decide, was

10 this suicide, was this accident, was this natural causes, or

11 does this fit into the category of homicide. This doctor, using

12 the information that he had available to him at the time that he

13 performed his autopsy, came to the conclusion this was

14 categorized as a homicide. He came into court. He was

15 presented that form. Asked what box he checked. He indicated

16 the box "homicide." The rest of his direct was, "Why did you

17 classify it as such? Give us your foundation for making that

18 decision." He talked about the injuries, the significance of

19 them, the results of them, his opinion as to when those injuries

20 were inflicted and what they would have caused. At the end of

21 his examination, albeit he was cross-examined quite well by

22 Mr. Brown and, just as Dr. Leestma, did had to say many times,

23 "as a medical expert, I can't say for sure how something

24 happened," but his conclusion, based on his review of all the

25 evidence, was it fit into the category of homicide. That's what

26 he recorded on his form. As the Court correctly pointed out,

27 there's a lot more to it than just me pointing to a box, saying

28 the medical exam anywhere said so, so it must be true. This is

1 -- he recorded his opinion, noted it on an official record. It
2 was lodged with the County as the type of death this was.
3 That's all it is.

4 THE COURT: Okay. Again, I'm not going to change my
5 ruling on this. I'm looking at CALCRIM 500. Box checked, it's
6 homicide, and the jury's going to get this instruction,
7 "Homicide is the killing of one human being by another." We
8 have that before -- before the jury now because Mr. Mickey was
9 with the child last. We have testimony that this doctor felt it
10 was blunt-force trauma that killed the child. And, then, it
11 goes on to say, "Murder and manslaughter are types of homicide.
12 The defendant is charged with murder. Manslaughter is a lesser
13 offense to murder." And then it talks about how a homicide can
14 be lawful or unlawful. So, they are going to -- it's not just
15 first-degree murder, first-/second-, manslaughter, but it states
16 here the jury is going to make that determination; so I'm not
17 worried they are going to take the medical form because he has
18 to pick a cause of death. It's an official document. And then
19 the jury's going to advocate their responsibility. They are
20 going to have to make a determination whether it was
21 first-degree murder. They are going to have to look at the
22 premeditation instruction. Then they are going to have to
23 decide whether or not they unanimously agree it's first-degree
24 murder, or, then, if it's a lesser or below that or that the
25 causation issue hasn't been met beyond a reasonable doubt. So
26 I'm not worried that the jury is going to be confused, so I'm
27 going to grant your request on the assault, but I'm going to
28 leave the box, because the doctor did have to make that

1 determination.

2 Was that, Mr. Brown, was that People's 21?

3 MR. WALSH: 22, your Honor.

4 THE COURT: I'll note your objection to that. I'm
5 going to allow it. It will be admitted.

6 23, 24 --

7 MR. BROWN: I do object to the investigative report.
8 It's hearsay. It wasn't testified to. There's no foundation
9 for it.

10 THE COURT: Is that Number 23, Mr. Brown?

11 MR. BROWN: It is, your Honor.

12 THE COURT: Mr. Walsh, I don't have that in front of
13 me.

14 MR. WALSH: Well, 23 is the first four pages, says
15 "Investigative Report," but it's really the whole thing. The
16 first four pages are investigative report as provided to the
17 medical examiner that came from an investigator. And then the
18 latter, I don't know, probably sixteen pages, are the actual
19 autopsy report itself. I don't imagine the jurors are really
20 going to be able to read what all this stuff means, because I
21 don't know what a lot of it means. So, I think that we can get
22 rid of the first portion of it. However, the last three pages
23 include the doctor's notations of injuries that he observed on
24 the child by mechanism of diagram.

25 THE COURT: Did the doctor testify to those drawings?
26 I don't recall that.

27 MR. WALSH: I did not show them to him. What -- I
28 believe the questioning I conducted with him was, "These

1 injuries we looked at on these photographs, Doctor, are those
2 recorded within your greater autopsy report." And he said,
3 "Yes." I indicated on the charts in that report. I do know
4 that I asked him about that because I was trying to lay the
5 foundation for the report itself. The entirety probably doesn't
6 need to come in, because it might be confusing for the jury to
7 have all that medical information in front of them. But the
8 last three pages that -- the last three pages of the document
9 where he noted the injuries, I believe are significant, and they
10 were also referred to by Dr. Leestma yesterday afternoon when he
11 said he wasn't sure what the doctor's indication was on the rear
12 right-hand portion of Kerianne's neck.

13 THE COURT: Those were the autopsy photographs?

14 MR. WALSH: He said he didn't recognize in the autopsy
15 photo. I said, "Dr. Swalwell did note that in the autopsy it
16 was a subdural hematoma in the report."

17 Yes. And Dr. Leestma acknowledged, yet Dr. Swalwell
18 did note that in the report, so --

19 THE COURT: You're only requesting the last three
20 pages, Mr. Walsh?

21 MR. WALSH: Yes, your Honor.

22 THE COURT: You want to be heard?

23 MR. BROWN: They were never published. They were never
24 cross-examined on. Dr. Leestma never talked about them. They
25 all were directed to photographs shown on the overhead. I don't
26 think that's appropriate to show to the jury now, to let them
27 extrapolate what they may or may not have been talking --

28 THE COURT: I tend to agree with that. I don't recall

1 anything about these diagrams at all being used. Just to talk
2 about diagrams in a report is one thing, but to actually publish
3 it. What I recall during the testimony is not these diagrams
4 but the actual -- the autopsy photographs themselves, where I
5 take it a, well -- C, B, H, B, C, D A -- those are just the
6 markings for the bruises, right, and then underneath we have the
7 subdural hematomas?

8 MR. WALSH: Yes. I think that's the next page.

9 THE COURT: Right. I think what you're going to have
10 to use is the photographs. Those were cross-examined on but
11 nothing about this.

12 MR. WALSH: Just of note, I think I accept the Court's
13 ruling. I did refer the doctor to those as part of a greater
14 document. I don't believe it's correct that Dr. Leestma did not
15 see those, because he did testify he had the entirety of the
16 autopsy report, and that was included in it. I know defense had
17 these, because they showed them to me before I even saw them,
18 so --

19 THE COURT: This is the doctor yesterday?

20 MR. WALSH: Yes.

21 THE COURT: I remember when you asked the doctor,
22 "Well, wait a minute. What about this, the injury behind the
23 head?" And, then, as I recall, you actually showed an autopsy
24 photograph that shows an area that at least, if I recall, was
25 bloodied or bruised.

26 MR. WALSH: There were two of them -- there were three
27 photos I showed the doctor yesterday. One was at the front, one
28 was at the rear, one was of the right-hand side of Kerianne's

1 head without the skin on it. There was a portion right by where
2 the skin was folded where it was dark. And the doctor yesterday
3 said, "You know, I can't tell if that was or not. It's dark in
4 the fold of the skin."

5 I said, "Well, Dr. Swalwell did identify that in his
6 autopsy report as a subdural, did he not?"

7 He said, "Yes, he did."

8 THE COURT: Correct.

9 MR. WALSH: But that could be in a written text of
10 Dr. Swalwell's report. That's fine. We can move on. That's
11 fine.

12 THE COURT: But I think the point was made, you were
13 asking him about the finding, and he conceded that, and then you
14 showed the picture.

15 MR. WALSH: Yes. That's fine.

16 THE COURT: Here you go, Deputy. Thank you.

17 All right. I agree. This should not be admitted. So,
18 23 is going to be out.

19 24?

20 THE CLERK: 23 is out?

21 THE COURT: Yes.

22 24, 25, 26, 27, 28, 29, 30.

23 MR. BROWN: Were these all published?

24 THE COURT: I'm showing they were, Mr. Brown.

25 MR. BROWN: I'm sorry.

26 MR. SIMOWITZ: 31, the next one, was not published.

27 THE COURT: I haven't gotten to that one yet,

28 Mr. Simowitz.

1 THE COURT: 24, 25, 26, 27, 28, 29, 30, 33, 34, 35, 37,
2 38, 39, 40, 41, 42, 43, any objections to any of those?

3 Mr. Simowitz?

4 MR. SIMOWITZ: No, your Honor.

5 MR. WALSH: Your Honor, I'm sorry to interrupt. 37, we
6 need to make one change to 37. I talked to Mr. Simowitz about
7 this. This is the phone records of the Sprint cell phone.
8 Mr. Simowitz and I agree only the last four pages of that should
9 be given to the jury, because that's the only portion that
10 pertains to the dates we're discussing in this case.

11 THE COURT: You have an agreement with Mr. Walsh on
12 this?

13 MR. SIMOWITZ: Yes.

14 THE COURT: 37 will be admitted as modified.

15 Anything else, Mr. Walsh, on any of those exhibits?

16 MR. WALSH: No.

17 THE COURT: Then, the ones that I have listed, Madam
18 Clerk, would be admitted. 37 as modified.

19 All right. 44, 45, 46, 47, 48, 49, 50, 51, 52, 53, 54,
20 56, 57, 58, 59, 60, 61, 62, 63, 64, 65, 66 67, 68, 69, 70, 71,
21 72.

22 Mr. Simowitz, any objections?

23 MR. SIMOWITZ: No.

24 THE COURT: Any modifications, Mr. Walsh, on any of
25 those?

26 MR. WALSH: No. They were all fine.

27 MR. BROWN: Your Honor, I do on 59. I would restate my
28 objections to the photograph, just because they were finding --

1 just because they are a finding at the home pursuant to a
2 consensual search doesn't make them relevant in a murder trial.
3 I think it overly prejudicial and 352, and they shouldn't be
4 allowed. I stated this objection originally, and I would just
5 restate the objection at this time.

6 THE COURT: Mr. Walsh?

7 MR. WALSH: I think we have already tilled this ground.

8 THE COURT: What's that?

9 MR. WALSH: I think we already tilled this ground. I
10 thought we discussed this at the time it was introduced. I
11 thought the Court ruled on this.

12 THE COURT: That's what I thought, too.

13 MR. BROWN: I just want the record clear I object to it
14 now. Mr. Simowitz didn't voice an objection. I'm not waiving
15 the objection I made earlier. I would restate it now for the
16 record. I'd like that recorded as an official defense position.
17 We object to 59.

18 THE COURT: All right. I'm going to note the defense's
19 objection to 59. I'm going to admit that over their objection.

20 Anything else?

21 MR. BROWN: 60 through 64, computer-generated diagrams,
22 I have the same objection.

23 THE COURT: Note your objections on 60 through 64.
24 Those would be admitted.

25 Any others?

26 MR. BROWN: Not really, other than just the comment
27 that 65 through 78, the photographs --

28 THE COURT: I haven't gotten to 78 yet. I'm only

1 going --

2 MR. BROWN: Through 72.

3 65 through 72 are all the same as defense's -- that's
4 fine.

5 THE COURT: Anything else?

6 MR. BROWN: No, sir.

7 THE COURT: Madam Clerk, did you get all that?

8 THE CLERK: Yes, your Honor.

9 THE COURT: Very good. 73, 74, 75, 76, 77, 78, 80, 81,
10 82, 83, 84, 85, 86, 87, 88, 89, 90, 91, 92, 93, 94, 95, 96, 97,
11 98, 99, 100, 101, 101-A, 102, 102-A, 103.

12 Mr. Simowitz?

13 Mr. Brown?

14 MR. BROWN: I would object, your Honor, to Number 84,
15 the abdominal diagram. It's not to scale. In fact, it's
16 argumentative as to how Dr. Kuelbs drew in the adrenal gland.
17 It is not to scale. It's not even of a child. It's got no
18 probative value at all.

19 THE COURT: All right. Mr. Walsh?

20 MR. WALSH: You want me just to address 84 or --

21 THE COURT: Just address 84. It will be easier for my
22 clerk and my reporter, then we will just go on to the next one.

23 MR. WALSH: I think it should come in. It's what
24 Dr. Kuelbs used to demonstrate where certain things were located
25 within the body. She qualified her answers by describing how it
26 would be different within the child, but this was where she
27 indicated for the jury where certain things were located; so I
28 think it was part of her testimony. I think it gives context to

1 some of her testimony. I think it should be admitted, because
2 she did reveal how it was different to the child, in what way it
3 would have been.

4 THE COURT: I agree with that. It was demonstrative
5 evidence. I don't think it was shown to be exact. It's just to
6 show -- look where it's located in general. It's separate from
7 the liver and there was injury to the organ.

8 I'll note your objection, Mr. Brown, but that will be
9 admitted.

10 Mr. Brown, next one?

11 MR. BROWN: 94 through 100, your Honor, the Chevron
12 surveillance photographs. There's no issue -- there's no issue
13 that he bought a beer. There's no issue that he drank a beer.
14 Photographs of somebody doing something that's not an issue is
15 cumulative. It's 352 and ought not to be in front of the jury.

16 THE COURT: This is another one we discussed. I don't
17 need to hear from you, Mr. Walsh. I'm going to admit those.

18 MR. BROWN: And, I'm sorry, your Honor. I didn't mean
19 to interrupt you. Time is blocked off. I would restate
20 objection for foundation on the timing.

21 THE COURT: Right. I'm going to admit those. I think
22 they are probative, and the foundation was laid.

23 94 through 100 will be admitted.

24 Any others?

25 MR. BROWN: 101 through 102-A, I would just restate my
26 objections that I made on the record earlier. I understand the
27 ruling, but I don't want the transcript to reflect I waived
28 those. I'll restate them now.

1 THE COURT: Okay. I'll note you reserved all your
2 objections. I'll admit those. We did discuss those; but for
3 purposes of any appeal, you're objecting?

4 MR. BROWN: On Number 103, Dr. Murillo's physician
5 notes, those are irrelevant. They've got no basis.

6 MR. SIMOWITZ: We discussed that.

7 THE COURT: Mr. Walsh, you're not moving those in?

8 MR. WALSH: (Nods head.)

9 THE COURT: All right. 73 through 76 are admitted.
10 77 through -- I'm sorry. 77, 78 are admitted.

11 And 80 through 102 are admitted, and noting the
12 objections we have already discussed.

13 MR. WALSH: Your Honor, we need two corrections within
14 there, maybe three. 78 should refer to photograph of ice cube.
15 That's what it actually was.

16 THE COURT: Photograph of ice cube. Okay.

17 MR. WALSH: Yes, your Honor. 79. I did not show.

18 THE COURT: That's why I didn't list it.

19 MR. WALSH: 80 should be described as photo of bed with
20 ice cube.

21 THE COURT: We have that, Madam Clerk?

22 THE CLERK: Yes.

23 THE COURT: Photo of bed with ice cube?

24 MR. WALSH: Yes, your Honor.

25 THE CLERK: First one was 78; correct.

26 THE COURT: 78 was ice cube.

27 THE CLERK: Okay.

28 MR. WALSH: Finally, on People's 93, this was the

1 coagulation studies that were done at Children's Hospital. Only
2 the bottom half of this page was referred to or shown to the
3 jury, so I think myself and Mr. Simowitz agree we'd like to
4 present this to the jury, but only the latter half. The page,
5 the title "Children's Hospital" should remain, but the only
6 medical information that should be contained on it when it goes
7 back to the jury is the coagulation studies we have referred to
8 during the case; is that correct, Mr. Simowitz?

9 MR. SIMOWITZ: That's correct.

10 MR. BROWN: I would agree with that right now. I don't
11 know if this is going to be used tomorrow or not.

12 I would just ask the Court to reserve on this. I don't
13 know if it's -- the upper half is going to necessarily be used
14 tomorrow or --

15 THE COURT: Mr. Brown, I'm going to ask you -- you to
16 keep track of 93 only, and I'll reserve on that, whether it's
17 going to come in in unmodified or modified.

18 MR. BROWN: Thank you.

19 THE COURT: Okay.

20 Anything else, Mr. Walsh?

21 MR. WALSH: No.

22 THE COURT: Tell me when you're ready.

23 MR. WALSH: Thank you. I'm ready.

24 THE COURT: Okay. 104, 104-A, 105, 106, 107, 107-A,
25 108, 108-A, 109, 109-A, 110, 110-A, 111, 112, 112-A, 113, 113-A,
26 114, 115. I'm going to note, Mr. Brown, your objections on all
27 the interviews.

28 Am I correct?

1 MR. BROWN: Yes, your Honor. Interviews and
2 accompanying transcripts and the CDs themselves.

3 THE COURT: Of course. We have discussed those. I'll
4 note you're reserving on all those.

5 MR. BROWN: We have. Thank you.

6 THE COURT: That will cover 104, 104-A, 107, 107-A,
7 108, 108-A, 109, 110, 110-A, 111, 112-A, 113, 113-A. Those will
8 be admitted over objection.

9 Any objections on any of the others, Mr. Brown?

10 Or Mr. Simowitz?

11 MR. BROWN: Not from my view.

12 MR. SIMOWITZ: No, your Honor.

13 THE COURT: Those would be -- the rest would be
14 admitted. 116, 117, 118, 119, 120, 121, 122, 122-A. Same
15 objections are preserved for the record. I'll admit those over
16 objections.

17 Any objections 116 through 121?

18 MR. BROWN: Not really.

19 THE COURT: Mr. Simowitz?

20 MR. SIMOWITZ: No.

21 THE COURT: Those will be admitted. That's all I have
22 for the exhibits.

23 Madam Clerk, did you get all that?

24 THE CLERK: Yes.

25 THE COURT: I understand there are other issues.

26 You wanted to address a stipulation, Mr. Walsh?

27 MR. WALSH: Yes.

28 THE COURT: Okay.

1 MR. WALSH: Sorry. Just a second.

2 THE COURT: Take your time. While you're doing that,
3 Mr. Walsh.

4 Mr. Brown, then, are you planning on resting tomorrow?

5 MR. BROWN: I am. They are going to talk to you about
6 a tape and talk about a stipulation to get around it, and I have
7 -- I'd like to rest tomorrow. I've got three federal hearings
8 on Thursday that I've got nobody to cover; so I'm doing the best
9 I can.

10 THE COURT: Your plan, then, it looks like you're going
11 to be resting tomorrow.

12 MR. BROWN: That's my goal. Yes, sir.

13 THE COURT: Mr. Walsh, are you going to call rebuttal
14 witnesses?

15 MR. WALSH: At this point, no.

16 THE COURT: Very good. What we would do if it -- if
17 both sides can have your instructions to me tomorrow, because I
18 do the instructions. I don't require Mr. Walsh to do that. But
19 I need to know what you're requesting, then I would be working
20 on those on Thursday. Because, sounds like we're not going to
21 be in session, and then what would happen is next Thursday you
22 would all be here, and that's when we will actually go over the
23 instructions. And, then, Thursday, we will get both sides
24 finished copies; so then you'll have the weekend to work on your
25 closings, which we will have on Monday.

26 MR. BROWN: The 25th. I can't -- I can't comply, your
27 Honor, with the jury instructions by tomorrow morning. I don't
28 have any of those books with me here. I've got to tend to

1 witnesses tomorrow morning that I have to prepare for tonight.

2 THE COURT: How about Mr. Simowitz?

3 MR. BROWN: He's going to be helping on some of that, I
4 hope. But the books I have of the jury instructions are not
5 here. They are in San Diego.

6 THE COURT: Do you have any special instructions that
7 you can think of that you want?

8 MR. BROWN: I do. I've been talking to Mr. Simowitz
9 about those. Maybe we can address those tonight and get those
10 to you tomorrow. As far as -- standard ones are pretty much
11 going to be the standard.

12 THE COURT: Mr. Walsh, will you be able to get a copy
13 of yours?

14 MR. WALSH: I can give the Court that checklist of what
15 I'm requesting. Is that what the Court's asking for?

16 THE COURT: Yes.

17 MR. WALSH: I can give it to you tomorrow. Can I give
18 it to you by close of evidence tomorrow? Is that all right?

19 THE COURT: Yeah. That's fine. Because I'll have all
20 day on Thursday to do it.

21 MR. WALSH: Actually, I can probably have it for you
22 tomorrow morning. Doesn't take long to check the boxes.

23 THE COURT: I want to make sure you look at everything.
24 If you want to do it by close -- because we're going to be in
25 session, obviously. I won't be working on it, but I would like
26 to have it by tomorrow afternoon.

27 MR. WALSH: Sure.

28 THE COURT: All right. Are you ready?

1 MR. WALSH: Yes.

2 THE COURT: Okay.

3 MR. WALSH: We have -- there is one stipulation that
4 the People prepared that we still would like to have read that I
5 think I got through part of it in front of the jury, but we will
6 finish that whenever its convenient tomorrow. That is about the
7 drug results. I talked with defense counsel. I made one change
8 to that, which specifies the time at which the blood was drawn
9 was approximately 7:00 p.m. So, I guess maybe at the close of
10 defense's case, we can read all these together perhaps.

11 THE COURT: That's fine. My suggestion, Mr. Walsh,
12 you've been reading them. We might as well just stay with that.
13 Defense counsel will let you know when to read it. You can tell
14 the jury what you've been telling them. This is a stipulation
15 between counsel, and then you can just read it.

16 MR. WALSH: Okay.

17 MR. BROWN: I'm sorry to interrupt you. On the
18 stipulations relating to the drug results, I maintain our
19 objection that they are irrelevant and that drug results ought
20 not to be in front of the jury. However, we are stipulating
21 that to save the People time and witnesses that we can go ahead
22 and read these things.

23 So, what I would like, if you don't mind, your Honor, I
24 would like you to rule on -- present your ruling as to whether
25 or not you believe those things are actually admissible or not,
26 and then we can go forward with the reading of them.

27 THE COURT: I believe they are admissible. I think we
28 already discussed this. They are probative in the case. We

1 have discussed alcohol throughout the trial, and I think it goes
2 to Mr. Mickey's veracity. And there's been some discussion
3 about how he acts when he's drinking, so I think they are --
4 it's highly relevant. I'll note your objection it should be
5 excluded entirely, but I appreciate the fact you're joining in a
6 stipulation. But, for the record, so it's clear, you're
7 objecting to them being admitted at all and have been.

8 MR. BROWN: Yes, your Honor.

9 THE COURT: I think you stated that initially in this
10 -- one of the 402s.

11 MR. BROWN: Character and all the other objections that
12 we raised, but I do want fewer witnesses at this point in time
13 for those kind of procedural things.

14 THE COURT: You mean you don't want to be here for the
15 next month?

16 MR. BROWN: I enjoyed my time here immensely, but we
17 don't need to have witnesses come lay foundation for things of
18 that nature.

19 THE COURT: I agree.

20 Next one, Mr. Walsh?

21 MR. WALSH: We have a list of phone numbers and what
22 they correspond to. Mr. Simowitz is going to add one phone
23 number to that. It's about twelve phone numbers. So I think we
24 will just -- I don't know how beneficial it would be to actually
25 read it off to the jury, or maybe I can just say we have got a
26 log for you or a table for you of what phone numbers and what
27 they correspond to.

28 THE COURT: I'll tell you what. I'll let you work with

1 Mr. Simowitz on that. I don't have a problem with you putting
2 it up there, saying this is a stipulation, this is the log. If
3 Mr. Simowitz feels it's more conducive to read it, then you can
4 read that. I'll leave that to you.

5 Next one.

6 MR. WALSH: We have a stipulation about Detective
7 Schnoor, what he would say if he was called back to testify.
8 The defense has been able to excerpt a couple photos from the
9 videotaped interview between Detective Schnoor and the
10 defendant, and I guess they put their hands up against each
11 other. They were stating that if Detective Schnoor was to come
12 back, he would testify those were photographs of that interview.
13 I'm fine with that. I'm happy to read that to the jury.

14 THE COURT: Very good.

15 MR. WALSH: I've shown defense counsel a stipulation in
16 regards to what Detective Failde might testify to, F-a-i-l-d-e,
17 if he was recalled from Texas about his interview with Kristy
18 Martin. He did two interviews with her. We made one
19 corrections and I understand Mr. Brown is fine with that; so we
20 will be reading that.

21 There's a stipulation that was authored by defense in
22 regards to what Mr. Plumley's testimony was -- if Detective
23 Failde was called to return to talk about his conversation with
24 Jesse Plumley, what he would say. I'm fine with that.

25 There's a stipulation about some of the phone calls
26 made between the cell phone, the Sprint phone, and 911 and phone
27 calls that came to that phone. I believe Mr. Simowitz has two
28 corrections to make to that, and then we will be reading that.

1 We have a stipulation as to what will be testified to
2 if a technical-support person from the Samsung Company were
3 called to testify, how that phone works. I've agreed to read
4 that as well.

5 And, then, I think the only other area of controversy
6 we have as far as stipulations go is the defense -- and I think
7 this came up earlier this week or last week. There are jail
8 recordings that took place, a number of them, that the defendant
9 is on the phone talking. One of these phone calls took place
10 February 5th in the early morning hours, approximately 6:45 in
11 the morning, between the defendant and Rosan Mickey. During
12 this conversation, a number of topics are discussed. I listened
13 to the phone call today. It's about nine minutes. On the phone
14 call, it begins with Mr. Mickey talking about the fact that he's
15 going to get out. He's not going to be charged. He begins
16 listing some of the injuries that he has heard that Kerianne
17 sustained. Some are correct; some are not.

18 What the defense wants to introduce is a statement by
19 Rosan Mickey that she tells Ryan some of the things she told
20 police. And she tells Ryan that, "I didn't tell police about
21 something," and that something is that when Kerianne came over
22 to the house on the 3rd. I think the statement the defense is
23 particularly concerned with was that she came into the backyard
24 and laid down on the concrete. That was kind of presented both
25 as a consistent and inconsistent statement during the trial.

26 The defense wants a stipulation to simply that bit of
27 information within the phone call. I would be objecting to
28 that. The entire context of this phone call, especially the

1 minutes around this statement, include discussion between the
2 defendant and his mother about the fact that the car door is not
3 responsible for this, the fact that neither of them saw any
4 injuries on her face. And Rosan does say something about
5 everything except for that thing on her face.

6 The defendant says, "I didn't see anything."

7 She says, "I didn't see anything."

8 So, I think it's ripe for problems. We're presented
9 with a conversation that took place between a mother and son in
10 a jail phone call. The conversation is dependent on hearsay
11 statements, self-serving hearsay statements by the defendant and
12 his mother's response to those. So I'm disagreeable to this
13 coming in in the way it's been formed.

14 THE COURT: How do you want it to come in?

15 MR. WALSH: One last fact, if I can just complete this.
16 This recording, this phone call, took place in February at 6:45
17 in the morning. These phone calls were not received or garnered
18 by the police until February 16th, approximately ten days later.
19 And I think the questioning suggested that the police had access
20 to a bit of information during the time they were conducting
21 their investigation that they've not said they had, but it's
22 debatable whether they had it or not. Again, I think it's an
23 unreliable statement. I don't know how it should come in, but
24 this is kind of a thorny bit of information in the context in
25 which it was acquired.

26 THE COURT: Do you have a copy of the stipulation?

27 MR. WALSH: I have the copy of the stipulation I've
28 written all over, yeah.

1 MR. SIMOWITZ: I have a clean one.

2 THE COURT: Mr. Simowitz, let me see yours, because
3 this is the one you want read; am I right?

4 MR. SIMOWITZ: Yes.

5 THE COURT: Mr. Simowitz, do you want to be heard?

6 MR. SIMOWITZ: Yes, your Honor.

7 That statement was made close in time to the incident.
8 It's a prior consistent statement. Later on that day, she calls
9 Detective Ullrich, and he then paraphrases in his report that
10 "she told me she sat down outside." Here's the exact quote that
11 she later testified to at the preliminary hearing that was read
12 into -- and because of the timing, 6:48 in the morning, the day
13 after the event, it's showing not making up this statement, you
14 know, which is kind of what I think has been intimated here.
15 That's an afterthought. Well, no, it's right here close in
16 time, so it's prior consistent statement made earlier in time to
17 the preliminary testimony. I think that's why it should come
18 in.

19 THE COURT: All right.

20 Well, this seems like this statement's taken, then, in
21 a vacuum. I don't know if it's a prior consistent statement
22 when someone says, "Remember, I told you." He would have to get
23 on the stand and testify as to what she told him about what she
24 was saying. That's how the prior consistent statement would
25 work, not what she's reminding him of what she said. So it's
26 not a prior consistent statement. Because she says -- this is
27 in your stipulation, remember. "I told you she was kind of
28 having a little fit when Jennifer dropped her off, but I didn't

1 say she was having a fit to the police." I said, "Well, she was
2 crying. She was upset that her mother was leaving. I thought
3 that was a good thing." That's a question of fact for the jury
4 to decide, because we have had police officers testify about
5 what she did or did not say in recordings. So, what this is is
6 trying to remind somebody of what she said to them and then what
7 she told the police, so I don't --

8 MR. SIMOWITZ: I think at the end she says, "I didn't
9 tell them that she laid down." If we can just chop that portion
10 out, perhaps, and that last portion and statement where she
11 says, "I didn't tell them that. She didn't lie down."

12 THE COURT: The way this is phrased, it seems to me
13 she's trying to tell him, "This is what I remember saying." I
14 don't think this fits within the -- both sides have gone back
15 and forth in terms of whether or not she said that at or near
16 the time of the occurrence. It's a question of fact, but the
17 conversation she's having with her son, and then you take just
18 one phrase --

19 MR. SIMOWITZ: But the second portion of that is that
20 the police did have that. They had it on February 16th. They
21 could have listened to the tapes that corroborate that she said
22 that, and all we're left with is that afternoon Detective
23 Ullrich paraphrases that she sat down on the sidewalk; so, you
24 know, that's the inference you're getting, that she never said
25 "laid down on the cement," and here they had that information on
26 February 16th. That's still early enough in this investigation.

27 THE COURT: She got on the stand and said the child
28 laid down on the cement. Mr. Brown, I don't know how many

1 times, mentioned it. The doctors, the detectives have mentioned
2 it. The only question here is whether or not -- is a question
3 of fact, is whether or not she made that up subsequent to him
4 being arrested to try to support some kind of an argument.

5 This is what I'm thinking. Some is kind of argument
6 that the child had a preexisting injury that was aggravated
7 because of the car door, but she's testified to that happening
8 and that it was close in time and that -- I don't think this --

9 Go ahead.

10 MR. SIMOWITZ: But he pulled out from her prelim
11 transcript that "you hadn't mentioned this earlier about laying
12 down in the cement." And she has, and it was paraphrased by
13 Detective Ullrich; and that's where it gets to be a problem,
14 because he's paraphrasing not recording.

15 Here, we have a statement that the police have access
16 to that she made that statement, and he wasn't arrested at this
17 time. He wasn't arrested until later that evening. So, the
18 time she's talking to him, he's not under arrest for the murder
19 charge. He was on old -- on a warrant is why the call was
20 recorded; so, he's not under arrest. She's got no reason -- in
21 fact, he says at the beginning of the tape, "They are letting me
22 out," which they were. They were posting his bail, and she
23 wasn't saying out of motive. She's saying, you know, "I didn't
24 tell them about laying down," so it comes, you know, soon after
25 the event occurred before he's arrested.

26 THE COURT: But the detectives already testified to
27 this. Paraphrased, but the child, you know, she sat the child
28 down and just laid there and just went to sleep.

1 Am I missing that, Mr. Walsh?

2 MR. WALSH: No.

3 MR. SIMOWITZ: That's not what the detective, the
4 paraphrase --

5 THE COURT: Go ahead, Mr. Walsh.

6 MR. WALSH: So, our time line is she gives one
7 statement at the house right when the child's being taken away.
8 She gives a statement at the station to Detective Ullrich on the
9 4th, and then she has a phone interview with Detective Ullrich
10 -- or he's asked by Sergeant Ganley to call her back and receive
11 more information. That interview is not recorded. The
12 detective summarized in his police report -- words he used to
13 summarize were "sat down." She -- I understand what defense is
14 saying.

15 In the interim, she has a conversation with her son
16 where she says, "I didn't tell the police this, but remember
17 when I told you that she laid down and went to sleep on the
18 concrete." Now, this statement that she's making to her son
19 doesn't change anything about what she said to Detective Ullrich
20 during that phone conversation. The jury's free to believe
21 Detective Ullrich, whether he paraphrased correctly or not. But
22 even if this statement is admitted, it doesn't change what she
23 said to Detective Ullrich. And the only testimony about that is
24 her recollection of the conversation and Detective Ullrich's
25 recollection of the conversation. The jurors have to make a
26 decision about that.

27 This doesn't change anything that was said to any of
28 the police officers or anything that she said during the

1 preliminary hearing. This is one statement of her with her son
2 over the phone, while he's arrested, while he's in custody, so I
3 don't -- I don't see how this speaks to any of those other
4 statements.

5 THE COURT: Let me ask you this. It sounds like you're
6 not -- are you not objecting, then, with the last statement?
7 What she's saying, trying to remind him of what she said is not
8 a consistent or inconsistent statement. But the last statement
9 is, "But the funny thing is, as soon as Jennifer left, she was
10 still acting like -- pushing her back, you know, how she was
11 throwing herself back. I brought her outside. She just laid
12 down on the cement and got real still." Are you objecting to
13 just the last part? A stipulation -- and then saying, "I
14 brought her outside, and she just laid down on the cement and
15 got real still," and then what you would do is put down the date
16 of the conversation and that it was a phone call between herself
17 and her son that was recorded in the jail? Doesn't sound like
18 you're objecting to that.

19 MR. WALSH: I am.

20 THE COURT: You are.

21 MR. WALSH: My first problem with this is the context
22 in which it occurs. It's fraught with peril, in that the
23 conversation before this paragraph, the conversation after this
24 paragraph, are all items of evidence that would never be
25 introduced but are in exact contradiction to this or that are --
26 this is a full conversation about where he's -- he says things,
27 she says things that are consistent and inconsistent with her
28 testimony. He says things that are consistent and inconsistent

1 with his statements to the police, and this is made in the
2 context of a mother and a son talking while he's in custody in
3 relation to what happened to this child. That's how he comes
4 into the police hands.

5 She says, "Remember these things that happened, and I
6 didn't tell police those things."

7 And then immediately following this, he says, "Well,
8 that's because you're trying to protect yourself; right?"

9 She says, "No, I'm not trying to protect myself."

10 Then they continue on another -- I'm not going to go
11 into the rest of the conversation, but it's back and forth with
12 things that are true to what we saw here in court and not true
13 to what we saw here in court. My problem, the greatest problem
14 with it is the context.

15 Second of all, it speaks to nothing of the issues we
16 have had here. She's saying, "Here are things I didn't tell the
17 police." Then we have her saying what she told the police
18 before and after this conversation. You have Detective Ullrich
19 saying what she said before and after the conversation. Only
20 things relevant to this jury are what she said to people when
21 she was interviewed and what she said here in court. We have
22 all that.

23 THE COURT: Sounded to me initially maybe you weren't
24 objecting.

25 MR. WALSH: I am.

26 THE COURT: And I agree with that. First -- and I've
27 said this before, Mr. Simowitz, the Court is suspicious of the
28 context in which these statements are being made because they

1 are between the mother and the son, and no one is there when the
2 child is injured and no one except the mother and the son are
3 there when the car door hits the child.

4 Now, there's some corroborating evidence, but I just --
5 when the defendant and the mother are talking, and it's a
6 jailhouse interview, and then she's saying or reminding her son
7 of what happened, and then saying, "Oh, this is what I forgot to
8 tell the police," that's not a prior consistent statement or
9 inconsistent statement. The consistent or inconsistent
10 statement is -- Mr. Walsh is correct. It's what was said, not
11 what she forgot to, and then saying, "Oh, this is a consistent
12 statement," because she's telling her son, the defendant, that
13 she forgot to tell the detective this, when, in fact, she did
14 tell the detective that about going out, sitting down, falling
15 asleep. At least that's what I recall.

16 I'll note your request, specifically that you want all
17 this in, but I'm not going to allow it.

18 MR. BROWN: Could I have just one quick comment on it?

19 THE COURT: Yes, sir.

20 MR. BROWN: I think that the Evidence Code prior
21 consistent statement applies in this particular matter because
22 Mrs. Rosan Mickey talked to Detective Ullrich on the phone and
23 said the child went outside, put it's head down on the patio,
24 and that was the end of it. Detective Ullrich chose to
25 paraphrase it, instead of recording it, or recording it
26 accurately. Prior consistent statement is what she said to her
27 son on the telephone, which corroborates what she said earlier.
28 Rules of Evidence don't require her to have made that same

1 statement to any detective in a formal or informal or Mirandized
2 or non-Mirandized statement. It could have been made to Joe at
3 the hot dog stand. That's a prior consistent statement which
4 corroborates what she told Detective Ullrich on February 5th.
5 The People are disputing that she said that.

6 THE COURT: Yes, I agree.

7 MR. BROWN: And that's what --

8 THE COURT: I think they are disputing that that
9 happened.

10 MR. BROWN: Well, that's fine. I'm disputing that it's
11 a homicide and you allowed evidence from the guy checking the
12 box.

13 THE COURT: I understand. I'm just agreeing there are
14 certain facts disputed. That's one of them.

15 MR. BROWN: The point of it is that's what prior
16 consistent statements are. They don't have to be said to the
17 police. They don't have to be said to anybody. It's that she
18 made the statement on February 5th. The People are challenging
19 that, and there's a consistent statement earlier. That's prior
20 consistent statement. If that wasn't the Rules of Evidence,
21 then none of these tapes, I would suspect, would ever have
22 gotten in, admitted into evidence over our objection.

23 MR. WALSH: If Mr. Mickey would like to come in and
24 testify as to his mother's consistent statement, he's welcome
25 to.

26 MR. BROWN: The consistent statement -- I appreciate
27 that, too. The consistent statement is Rosan Mickey saying that
28 on February -- on February 5th or 4th before she told it to

1 Detective Ullrich.

2 THE COURT: Well, I guess I disagree with both of you.
3 This is the absence of the statement. This is not a consistent
4 or inconsistent statement. She's saying, "This is what I forgot
5 to tell the officer." That's not an -- even if he got on the
6 stand to testify to that, I don't think I would allow it,
7 because I don't know if it's consistent or inconsistent when
8 somebody says, "You know what, I forgot to tell somebody"
9 What matters is what they actually told somebody. And the
10 detectives already testified she did say that the child went
11 outside, sat down, was lethargic, or went to sleep. I think
12 you're both wrong.

13 All right. I'm going to note your objection, but I'm
14 not going to allow it. All right.

15 MR. BROWN: Are we done?

16 MR. WALSH: No.

17 THE COURT: We are.

18 MR. WALSH: Well --

19 THE COURT: I think.

20 MR. WALSH: There's another issue.

21 THE COURT: That's fine. We have plenty of time. It's
22 only 4:05.

23 Isn't that right, Mr. Brown?

24 He's, like, "I've got to get out of here."

25 MR. BROWN: I vote for you. We're done. We're out of
26 here. It is okay.

27 THE COURT: Okay.

28 MR. WALSH: Since the beginning of trial, there's been

1 an area of contention. We spent a grea
2 about the phone calls to 911 at or abou
3 went into arrest. The Court has already
4 defendant's statements on the 911 call a
5 tape has not been played. The defendant
6 expressing to the jury Mr. Mickey's level
7 excitement, freak-outedness, franticness, and I
8 believe that Mr. Brown and I this afternoon in the time you gave
9 us came to an agreement that we're going to craft a stipulation
10 using the words that were used by the 911 dispatcher to describe
11 Mr. Mickey's demeanor while speaking.

12 Is that right?

13 MR. BROWN: Everything he said is right with -- my
14 added thought to it was we could -- because there's some issue
15 -- only issue is how do you really describe how they would
16 suggest his tone was on the phone. I agree with Mr. Walsh that
17 it's this far past, with all the 911 panic calls, they are
18 unlikely to remember this one exactly. So, we can either craft
19 a stipulation and kind of hone in some words we can agree to or
20 just play that one portion of the tape where they are -- Mr.
21 Mickey's not on, but the 911 people are talking back and forth
22 to each other, and they do say he's panicked. "I got a guy on
23 the phone panicked, freaking out," and --

24 THE COURT: Just put that in a stipulation.

25 MR. BROWN: "Child's not breathing."

26 THE COURT: It's already in front the jury when first
27 responders arrived, he was frantic. He was. I don't think --
28 that hasn't been in dispute, except there were a couple of

1 statements where they thought he was acting in an unusual
2 manner. But what we have here is a child not breathing. They
3 arrive and they immediately try to resuscitate the child. What
4 people can remember is he was a little odd, he was frantic, but
5 it was chaos, so I think you can stipulate to that.

6 MR. BROWN: I do. I'm not suggesting that we can't.
7 The only point I'm making is that sometimes when you enter into
8 a word stipulation where either I or Mr. Walsh read it, we read
9 it with no tone to it at all, just put it into evidence. First
10 is actually hearing the 911 operator talking to another 911. We
11 get intonations of the voice. "This guy is freaking." If we
12 can play it, that would be the preferred method.

13 THE COURT: I don't have a problem with playing the
14 tape. My ruling still remains what he actually said is not
15 going to be admitted, but if you, based on what Mr. Walsh is
16 saying, sounds like you made this agreement, then, go ahead and
17 play the tape. Let the 911 -- let the testimony come in with
18 what the 911 operators are saying so they can describe his tone
19 of voice, and then if you -- but I'm not really sure how
20 probative that is. You know, whether or not the 911 operator is
21 agitated because of what's going on. What matters is, at least
22 for your case, what his state of mind was at the time, not what
23 the 911 operator sounds like.

24 MR. BROWN: The 911 operator is describing, their words
25 that they use. That's all I'm saying.

26 THE COURT: Just use their words and put it in a
27 stipulation.

28 MR. SIMOWITZ: This one tape doesn't have Mr. Mickey on

1 it at all. It's just a conversation between two or three
2 dispatch people, and they are talking about "he's freaking out."
3 They are talking about -- they are trying to give him
4 information how to do CPR, so --

5 THE COURT: Mr. Walsh?

6 MR. WALSH: We're changing topics again.

7 Okay. Mr. Brown and I talked about getting Mr.
8 Mickey's demeanor in front of the jury by way of stipulation.
9 I'm fine with that. If now we're talking about one tape, that
10 is the defendant calling and screaming, "My baby's not
11 breathing," all these sorts of things, I'm not playing that for
12 the jury.

13 THE COURT: I've already made my ruling.

14 MR. WALSH: Right. That would leave us with the
15 recording of dispatchers speaking to each other.

16 "Hi, this is CHP."

17 "Hi, this is the Fire Department."

18 THE REPORTER: Counsel.

19 THE COURT: Don't irritate my reporter.

20 MR. WALSH: I don't want to. I think you hear
21 Mr. Brown agreeing.

22 THE COURT: I'll tell you what. Try to reach a
23 stipulation, Mr. Walsh, on describing it.

24 MR. WALSH: The reason we're pressing on it today is
25 we're trying to alleviate the need to bring in CHP personnel,
26 who took these phone calls, who won't come in unless they
27 receive a subpoena. They usually demand five days for that.
28 We're trying to avoid that situation. I'm trying to avoid it

1 while working together with counsel here. I think we're really
2 close.

3 THE COURT: Good. I have a feeling that you're going
4 to be able to reach a stipulation.

5 MR. WALSH: Now, there's a secondary issue having to do
6 with the 911 calls that Mr. Simowitz was beginning to discuss.
7 I'm prepared to talk about that, but prefer we deal with one
8 issue at a time.

9 THE COURT: We have taken care of the demeanor issue.

10 MR. WALSH: Looks like Mr. Brown is beginning to write
11 it right now.

12 MR. BROWN: I'm circling the buzz words.

13 THE COURT: I'll let you do that. It sounds like
14 you're close enough to be able to give and take.

15 Next issue.

16 MR. WALSH: I won't read another transcript.

17 The second issue is Mr. Simowitz brought to my
18 attention I'm aware of the fact that there is radio traffic
19 between three different agencies that got involved in the
20 dispatching of this case, which Sergeant Ganley already
21 testified to -- CHP, CDF, and Murrieta Fire. And there are
22 phone calls between them with each other where they are
23 describing to each other to try to find this house. There was a
24 lot of difficulty in finding this house.

25 Throughout that conversation, there's reference from
26 one dispatcher to another, "We're trying to give him
27 instructions on CPR, but his phone keeps cutting out. We can't
28 even do that."

1 There's another reference to looks like they are close
2 to the house. "We have a description of the cars out in front
3 of the house. We're going to try to walk him through CPR," and
4 that's as far as these conversations go.

5 They tell each other, "We're going to try to give him
6 instructions on CPR," but they are -- and to this point neither
7 of us have heard on these tapes an instance in which a
8 dispatcher gives instruction to Mr. Mickey on how to perform
9 CPR. I believe Mr. Simowitz would like to put in front of the
10 jury by way of playing the recording or by way of transcript
11 somehow the fact that dispatchers were discussing with each
12 other what they intended to do by way of instruction to the
13 defendant.

14 I would object to that because we have no information
15 from any witness at this point and no documentary information or
16 recording which demonstrates they were ever able to give these
17 instructions to the defendant. That's where we are.

18 THE COURT: Mr. Simowitz, do you want to be heard?

19 MR. SIMOWITZ: That's not what I'm saying.

20 THE COURT: What are you saying?

21 MR. SIMOWITZ: What -- I want the two transcripts we
22 have right now, take everything out about Mr. Mickey, and let's
23 just listen to the conversations between the people, one another
24 talking about, "We're trying to give him CPR information. We're
25 trying to find the house." These two transcripts, they are
26 real, real short.

27 There's a third one that just came to light that hasn't
28 been transcribed. We were going to all go listen to it and see

1 if there's anything in there that we can see what's relevant and
2 put together.

3 But as far as the two that we have right here, I see no
4 reason why those just shouldn't be played in their entirety
5 after Mr. Mickey gets cut off the line.

6 THE COURT: What's the probative value of emergency
7 dispatch communicating with one another how they want to tell
8 Mr. Mickey how to give CPR if Mr. Mickey's not on the phone?

9 MR. SIMOWITZ: They are saying that -- it goes to the
10 whole --

11 MR. BROWN: It's corroborative of everything we already
12 put in. I agree with Mr. Walsh there's no direct contact with
13 Mr. Mickey about instructions with CPR. It doesn't exist on any
14 tape I've heard. But I think what Mr. Simowitz is trying to
15 suggest to the Court is that there's been a lot of testimony
16 about phones breaking down, reception is bad, that he's frantic,
17 he's freaking out. And these 911 operators are talking about
18 that on the phone. "He's freaking out. The baby's not
19 breathing." He doesn't know -- he's trying to find out where he
20 is. The phone lines keep dropping and such, and there's only
21 one reference on this here. "We're trying to get him CPR."

22 I agree with Mr. Walsh there's no corroboration they
23 ever did give him CPR. I think that's what Mr. Simowitz is
24 trying to suggest.

25 THE COURT: I'll admit it on that basis. I do agree
26 with that. I do agree with that, that it's corroborative of the
27 testimony that's been given to the jury, the trier of fact, the
28 phones were cutting out. That's fine.

1 MR. BROWN: Okay. So, if I understand Mr. Walsh
2 correctly, he would object to the one line saying, "We're trying
3 to get him CPR on the phone"?

4 MR. SIMOWITZ: I'm sorry.

5 MR. BROWN: Let Jess make his point.

6 MR. SIMOWITZ: We already have in evidence the Murrieta
7 report said, "We're walking him through CPR."

8 THE COURT: Tell you what, because now actually I'm
9 going to have to deal with Mr. Walsh, and now you are arguing
10 with each other, so now I --

11 MR. BROWN: I just want to get to the point is all I'm
12 saying.

13 THE COURT: That needs to be deleted because it's not
14 probative of anything, that they intended to give him
15 instructions on CPR. I don't think it's probative of anything.
16 It's excluded, but it can be probative of evidence that's before
17 the jury about the phone cutting out. Jennifer Bradley
18 testified to that, that it was hard to get a connection. So if
19 you want to use it for that purpose, I'll admit it for that
20 purpose.

21 MR. BROWN: Thank you.

22 THE COURT: And, then, just if you want to reach a
23 stipulation with Mr. Walsh on that, because that is
24 corroborative, and I think it's admissible.

25 MR. BROWN: Thank you. So I'll ask, then, I'll share
26 this with Mr. Walsh. I redacted the one sentence relating to
27 CPR. The rest is related to what I suggested.

28 THE COURT: Okay. Mr. Walsh, anything else?

1 I want you to hurry. I'll tell you why. Now they are
2 disagreeing with one another. At some point, I don't know who
3 to turn to. Actually, today, only twenty percent of the time I
4 think you've jumped in -- or you jumped in. It may be tension
5 in the defense.

6 MR. BROWN: We just need Curly here. We've got Larry
7 and Moe and Curly. We're good.

8 It's just that sometimes I think that, at least from my
9 perspective, I don't want to spend too much time on an issue we
10 don't want to spend time on.

11 THE COURT: Mr. Walsh?

12 MR. WALSH: Only goal I have from this conversation was
13 trying to figure out if we're going to need to call witnesses or
14 not. What I'm getting from this conversation, just trying to be
15 clear, is if by some mechanism we can present to the jury the
16 fact that cell phone reception was bad and his state of mind.

17 THE COURT: Yes. I'll allow -- and what I'm hoping is
18 you can reach a stipulation on his demeanor, how he sounded, and
19 you can pick the words, and then the fact that the phone cuts
20 out, because that's before the jury, and Mr. Brown's offer of
21 proof, I accept that. I think it can be admitted for that
22 purpose.

23 MR. BROWN: Mr. Simowitz wants the tape played. He
24 wants the record clear he wants these two tapes played that are
25 already transcribed and just redact the one sentence, "We're
26 trying to get CPR on the phone."

27 THE COURT: If you can reach a stipulation with
28 Mr. Walsh to authenticate the phone call, that's fine. Then he

1 doesn't -- then he doesn't have to call witnesses.

2 MR. SIMOWITZ: What's Mr. Walsh's thoughts?

3 MR. WALSH: We're running in circles. I'm willing to
4 write a stipulation. I'm not willing to play a tape of
5 dispatchers talking to each other about what they'd like to do.
6 I think we can accomplish all that through written stipulation.
7 Every police officer who's testified has been at that house so
8 far stated the cell phone reception problems in that house.
9 There's no dispute that the cell phone disconnected on
10 Mr. Mickey during times he was trying to communicate with 911.
11 I'm willing to stipulate to that.

12 THE COURT: I've made my ruling. I agree with you,
13 Mr. Brown, I agree with you. I don't want you playing a tape
14 that talks about how emergency responders are -- they are going
15 to try to give CPR to the child.

16 MR. BROWN: That's not --

17 THE COURT: But if you can -- but if the tape can be
18 redacted to show somehow that the phone cuts out, that's
19 admissible. I don't know if that's possible. If it's not
20 possible, then I can tell you none of the tape is going to be
21 played. And the reason none of the tape will, then, be played
22 is I agree with Mr. Walsh here. That issue is already before
23 the jury. Actually -- actually, we have heard it a number of
24 times that cell phone reception in that house was difficult,
25 which is why I accept your offer of proof, Mr. Brown. That's an
26 issue, but all this does is reenforce testimony that's already
27 before the jury.

28 MR. BROWN: Right.

1 THE COURT: It seems to me the easiest way is to draft
2 a stipulation on that call, but if you don't want to do that,
3 Mr. Simowitz, those statements have to be redacted and then --

4 MR. SIMOWITZ: Your Honor, it's a three-page statement.
5 The first page and a half should be cut out because Mr. Mickey's
6 in it. Can you look at the balance of it and just say, "Yeah,
7 what's there is fine." Play the tape or not.

8 THE COURT: I don't need to look at it until -- because
9 it sounds like -- you understand what my ruling is and then you
10 can show it to Mr. Walsh. Mr. Walsh may agree if you show him
11 the rest of it. He may say, "Fine, I can redact that. We can
12 play it." As long as there is a stipulation on who it was from.

13 MR. SIMOWITZ: Again, it's a page and a half. I think
14 we can resolve it right now.

15 MR. BROWN: Talk to Jess about it. That's what the
16 judge is telling you.

17 MR. SIMOWITZ: If he doesn't agree, we're going to need
18 a ruling.

19 THE COURT: All right. Mr. Walsh, take a look at that.

20 MR. WALSH: I thought I'd already been really clear so
21 far. You want to play two people talking to each other about
22 him. This is what I just talked about. You want to play -- you
23 want to play, "I have somebody on the phone. He's freaking out.
24 His baby's not breathing. Yeah, we're trying to figure out
25 where he is" --

26 THE COURT: I don't have a problem with that.

27 MR. WALSH: Okay.

28 THE COURT: They already know that.

1 MR. WALSH: I know.

2 THE COURT: You can play that. Is that what you
3 wanted?

4 MR. SIMOWITZ: Like I said, "He said his baby's not
5 breathing. Let me bring him on the line for you. Let me give
6 you a call-back number."

7 THE REPORTER: Counsel.

8 THE COURT: I don't want my reporter to break.

9 MR. SIMOWITZ: And then they gave the number. Okay.
10 "Hello, sir? Whoops. Did he hang up? Well, he must have
11 disconnected somehow. Whoops."

12 And then there's another call. "Hi, CHP."

13 "Hi, ma'am."

14 Regarding -- well, actually that one's irrelevant, so
15 really right to what I read.

16 THE COURT: What you just read to me, I don't have a
17 problem with that. That's already before the jury. I'm going
18 to let you gentlemen take care of it. Finish it up.

19 Mr. Walsh, I can tell you what I just heard from
20 Mr. Simowitz, that portion, I don't have a problem with that.
21 We know the baby's not breathing, that he was panicked. That
22 shows -- if you can redact the tape. But, no -- we don't need
23 to call witnesses on the issue. We don't need to call
24 witnesses. All right. Court's adjourned. See you tomorrow
25 morning at 9:00 o'clock.

26 (Proceedings concluded.)

27

28